

Joseph A. Brunner

1 UNITED STATES DISTRICT COURT
2 DISTRICT OF NEW JERSEY
3)
4 JUAN DUARTE, BETSY DUARTE)
5 AND N.D., INFANT, BY)
6 PARENTS AND NATURAL)
7 GUARDIANS JUAN DUARTE AND)
8 BETSY DUARTE, LEROY) CIVIL ACTION
9 NOBLES AND BETTY NOBLES,)
10 ON BEHALF OF THEMSELVES) NO.
11 AND ALL OTHERS SIMILARLY) 2:17-cv-01624-ES-SCM
12 SITUATED,)
13)
14 Plaintiffs,)
15)
16 VS.)
17)
18)
19 UNITED STATES METALS)
20 REFINING COMPANY, ET AL,)
21)
22 Defendants.)

23 *****
24 F.R.C.P. 30(b)(6) DEPOSITION DUCES TECUM OF DEFENDANTS
UNITED STATES METALS REFINING COMPANY, FREEPORT
MINERALS CORPORATION AND AMAX REALTY DEVELOPMENT, INC.
ORAL AND VIDEOTAPED DEPOSITION OF
JOSEPH A. BRUNNER
JUNE 6, 2018
VOLUME 1

1 F.R.C.P. 30(b)(6) DEPOSITION DUCES TECUM OF DEFENDANTS
2 UNITED STATES METALS REFINING COMPANY, FREEPORT
3 MINERALS CORPORATION AND AMAX REALTY DEVELOPMENT, INC.
4 ORAL AND VIDEOTAPED DEPOSITION OF JOSEPH A. BRUNNER,
5 produced as a witness at the instance of the
6 PLAINTIFFS, and duly sworn, was taken in the
7 above-styled and numbered cause on the 6th of June,
8 2018, from 9:32 a.m. to 6:14 p.m., before Tamara
9 Vinson, CSR in and for the State of Texas, reported by
10 machine shorthand, at Vinson & Elkins LLP, 1001 Fannin
11 Street, Suite 2500, Houston, Texas, 77002-6760,
12 pursuant to the Federal Rules of Civil Procedure and
13 the provisions stated on the record or attached
14 hereto.

15
16
17
18
19
20
21
22
23
24

1 A P P E A R A N C E S

2

FOR THE PLAINTIFFS:

3

MR. STEVEN J. GERMAN, ESQ.

4

- and -

MR. JOEL M. RUBENSTEIN, ESQ. (Via speakerphone)

5

German Rubenstein LLP

19 West 44th Street, Suite 1500

6

New York, New York 10036

212.704.2020

7

Sgerman@germanrubenstein.com

8

- and -

9

MR. CHRISTOPHER T. NIDEL, ESQ.

- and -

10

MR. JONATHAN B. NACE, ESQ. (Via speakerphone)

Nidel & Nace, PLLC

11

5335 Wisconsin Avenue, NW

Suite 440

12

Washington, DC 20015

202.478.9677

13

chris@nidellaw.com

14

FOR THE DEFENDANTS:

15

MR. ROBERT M. SCHICK, ESQ.

16

- and -

MR. GEORGE O. WILKINSON, ESQ.

17

- and -

MR. TRAVIS HUNT, ESQ.

18

Vinson & Elkins

1001 Fannin Street, Suite 2500

19

Houston, Texas 77002-6760

713.758.4834 Fax 713.615.5142

20

rschick@velaw.com

gwilkinson@velaw.com

21

thunt@velaw.com

22

ALSO PRESENT:

23

Ms. Tamara Vinson, Court Reporter

Ms. Mary Elizabeth Gaasch, Videographer

24

Joseph A. Brunner

1	INDEX	
2		PAGE
3	Appearances.....	3
4		
	JOSEPH A. BRUNNER	
5		
6	Examination by Mr. Nidel.....	8
7	Signature reserved.....	306
8	Reporter's Certificate.....	308
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		

	EXHIBIT INDEX	PAGE
1		
2		
3	Exhibit No. 50.....	20
4	Notice of 30(b)(6) deposition duces tecum	
5	Exhibit No. 51.....	110
6	A Summary of Selected Soil Constituents and Contaminants at Background Locations in New Jersey	
7	Bates No. USMR 00065062 - USMR 65109	
8	Exhibit No. 52.....	162
9	1961 aerial photograph	
10	Exhibit No. 53.....	162
11	1974 aerial photograph	
12	Exhibit No. 54.....	168
13	Administrative Consent Order	
14	Bates No. USMR 00017658 - USMR 17685	
15	Exhibit No. 55.....	196
16	Joseph A. Brunner's business card	
17	Exhibit No. 56.....	215
18	Letter to property owners from J. Brunner	
19	Bates No. USMR 00832403	
20	Exhibit No. 57.....	222
21	Questions and Answers about the USMR Soil Project	
22	Bates No. USMR 00832445 - USMR 832446	
23	Exhibit No. 58.....	225
24	Flier re USMR offering free soil sampling	
	Bates No. USMR 00832514 - USMR 832515	
	Exhibit No. 59.....	229
	Newspaper ad template and cover e-mail	
	Bates No. USMR 00836332 - USMR 836333	
	Exhibit No. 60.....	232
	The Chrome Chronicle and cover e-mail	
	Bates No. USMR 00834109 - USMR 834113	

1	Exhibit No. 61.....	233
	USMR Open House invitation	
2	Bates No. USMR 00834244 - USMR 834248	
3	Exhibit No. 62.....	227
	Carteret Soil Program Media Statement and	
4	cover e-mail	
	Bates No. USMR 00836336 - USMR 836337	
5		
	Exhibit No. 63.....	235
6	Remedial Action Work Plan Addendum -	
	Boundary Evaluation Soil Sampling Program	
7	Bates No. USMR 00173854 - USMR 173866	
8	Exhibit No. 64.....	273
	HydroQual memo to file re Sampling of	
9	Material Piles at Plant Site	
	Bates No. USMR 00010877 - USMR 10896	
10		
	Exhibit No. 65.....	276
11	AMAX Inc. Draft Supplemental Remedial	
	Investigations Work Plan	
12	Bates No. USMR 00009390 - USMR 9418	
13	Exhibit No. 66.....	283
	Shaw Environmental, Inc. Soil Sampling	
14	and Analysis Plan, Carteret, New Jersey	
	and cover e-mail	
15	Bates No. USMR 00835989 - USMR 836023	
16	Exhibit No. 67.....	287
	Remedial Investigation and Action Work	
17	Plan Phase 1	
	Bates No. USMR 00832350 - USMR 832388	
18		
	Exhibit No. 68.....	293
19	Arcadis Sampling and Analysis Plan Data	
	Report	
20	Bates No. USMR 00802403 - USMR 802507	
21		
22		
23		
24		

1 THE VIDEOGRAPHER: Okay. We are now on
2 the record. My name is Mary Elizabeth Gaasch. I'm a
3 videographer for Golkow Technologies.

4 Today's date is June 6th, 2018. The time on
5 the monitor is 9:32 a.m. This video 30(b)(6)
6 deposition is being held in Houston, Texas in the
7 matter of Juan and Betsy Duar --

8 MR. SCHICK: Duarte.

9 THE VIDEOGRAPHER: -- Duarte -- sorry --
10 versus United States Metals Refining Company, et al.
11 The deponent is Joseph Brunner.

12 And will Counsel introduce themselves and
13 state whom they represent?

14 MR. NIDEL: Chris Nidel on behalf of the
15 Plaintiffs.

16 MR. GERMAN: Steven German of German
17 Rubenstein on behalf of the Plaintiffs.

18 MR. SCHICK: Bob Schick for the
19 Defendants.

20 MR. WILKINSON: George Wilkinson for the
21 Defendants.

22 MR. HUNT: Travis Hunt for the
23 Defendants.

24 MR. GERMAN: Guys on the phone?

1 MR. RUBENSTEIN: Joel Rubenstein on the
2 phone for Plaintiffs.

3 MR. NACE: Jonathan Nace for the
4 Plaintiffs.

5 THE VIDEOGRAPHER: Will the Court
6 Reporter please swear in the witness?

7 JOSEPH A. BRUNNER,
8 having been first duly sworn, testified as follows:

9 EXAMINATION

10 QUESTIONS BY MR. NIDEL:

11 Q. Good morning, Mr. Brunner.

12 A. Good morning.

13 Q. Can you please state your full name for the
14 record?

15 A. My full name is Joseph Andrew Brunner.

16 Q. And your address, please?

17 A. My home address or work address?

18 Q. Home address, please.

19 A. It's 3935 East Rough Rider Road, Unit 1368,
20 in Phoenix, Arizona 85050.

21 Q. Okay. And what is your work address?

22 A. 333 North Central Avenue, Phoenix,
23 Arizona 85004.

24 Q. And who do you work for?

1 A. I'm employed by Freeport Minerals
2 Corporation.

3 Q. What's your date of birth?

4 A. May 17th, 1964.

5 Q. Who signs your paycheck?

6 A. Freeport Minerals Corporation.

7 Q. Do you get a paper paycheck?

8 A. No.

9 Q. Do you have a card?

10 A. Yes.

11 Q. Is Freeport-McMoRan Copper & Gold, is that
12 Freeport Minerals?

13 A. That's the parent company of Freeport
14 Minerals.

15 Q. Okay. But you -- your card says that you
16 work for Freeport-McMoRan Copper & Gold?

17 A. The card is just one that they gave us. My
18 employer is Freeport Minerals Corporation.

19 Q. Okay. Do you have a LinkedIn profile?

20 A. Yes, I do.

21 Q. Okay. What do you list as your employer on
22 your LinkedIn profile?

23 A. I believe it's Freeport Minerals Corporation,
24 but I'm not positive.

1 Q. Okay. Do you have a resume?

2 A. Not with me.

3 Q. Okay. Do you keep a resume?

4 A. No.

5 Q. You don't have a resume?

6 A. No. I've been employed with the company for
7 a long time and really haven't had the need to compile
8 one recently.

9 Q. And what company have you been employed with
10 for a long time?

11 A. Freeport Minerals Company and its predecessor
12 company Phelps Dodge Corporation.

13 Q. Who do you report to with respect to the
14 cleanup at -- in Carteret?

15 A. Are you asking who my -- who my boss is?

16 Q. Yeah.

17 A. William Cobb.

18 Q. And who does William Cobb work for?

19 A. I believe he works for Freeport Minerals
20 Corporation.

21 Q. Can you tell me all the contractors that you
22 work with in Carteret?

23 A. The contractors?

24 Q. Contractors, yeah.

1 A. That would be Arcadis, ELM, and they're the
2 two main contractors that are doing work. We also
3 have Geosyntec doing some work for us.

4 Q. Okay. And who else? Who else is doing work
5 for you?

6 A. That's -- that's it as far as Carteret goes.

7 Q. Those three are the only three consultants or
8 contractors that you have working for you in Carteret?

9 A. At the current time, yes.

10 Q. Okay. The entire -- from 2011 until current,
11 anyone else?

12 A. I don't -- I don't think so. We formerly had
13 HydroQual working for us and we transitioned from
14 HydroQual to ELM. I can't remember the exact date
15 when that occurred. It was probably in the 2010-2011
16 time frame.

17 Q. Okay. And were there any other -- are there
18 any other companies, contractors, consultants that
19 you've had working for you on the Carteret cleanup?

20 A. Are you -- are you referring to the -- to the
21 offsite soil remediation program?

22 Q. The offsite soil remediation, the evaluation
23 of boundary areas, the -- yeah, the program that you
24 work on.

1 MR. SCHICK: Well, I just -- I just want
2 to caution if there are experts who might have been
3 retained by counsel, then I don't want to be waiving
4 any privilege or -- and I don't want Mr. Brunner to
5 identify any experts who have been retained by counsel
6 who we've not -- and are not required to designate as
7 of yet.

8 Q. (By Mr. Nidel) Okay. I'm not asking you
9 about consultants for this litigation, but I am asking
10 you for all of those consultants and contractors that
11 you've relied on for any of your assessments of the
12 extent of contamination, your work delineating the
13 contaminants in Carteret, your work remediating those
14 contaminants, all of those consultants.

15 A. Okay. Very, very early on in the ISDA
16 process we utilized Shaw, which is also an
17 environmental consulting company.

18 Q. Okay. Any others?

19 A. I don't believe so.

20 Q. When you give presentations, who do hold
21 yourself out as being employed by?

22 A. With respect to this project, we're doing
23 this on behalf of USMR.

24 Q. Okay. When you give presentations

1 professionally and you have PowerPoint presentations
2 that may be posted online, who do you hold yourself
3 as -- who do you hold yourself out as being employed
4 by?

5 A. In general terms? I mean, for --

6 Q. When you give a PowerPoint, what do you --
7 what logo do you put on there?

8 A. With respect to which -- I mean, are we
9 talking about. . .

10 Q. You're one person. You only have one
11 employer. Right?

12 A. Yes.

13 Q. Okay. So what logo do you put on your
14 PowerPoints?

15 A. It depends on which site we're presenting a
16 PowerPoint about.

17 Q. Okay. What footer do you put on your
18 e-mails?

19 A. I believe it's Freeport Minerals Corporation.

20 Q. Okay. What's your current job?

21 A. I'm director of discontinued operations.

22 Q. Do you work for USMR?

23 A. I work for Freeport Minerals Corporation.

24 Q. Okay. Do you report to anyone at USMR?

1 A. No, I don't.

2 Q. What are your responsibilities at Carteret?

3 A. I have management responsibility for the
4 business side of Carteret, which includes our joint
5 venture with V. Paulius & Associates to redevelop the
6 onsite and I've also assumed project management
7 responsibilities for the environmental remediation.

8 Q. Do you make decisions about cleanup
9 strategies?

10 A. I'm involved in the decision-making process.

11 Q. Who reports to you with respect to Carteret
12 project?

13 A. The consultants which I just referenced.

14 Q. So you direct the work of the consultants?

15 A. I'm -- I'm part of the group that directs the
16 consultants.

17 Q. Okay. Who else is part of that group?

18 A. We have a safety professional, we have our
19 group of consultants and I work with -- with counsel.

20 Q. Okay. Who's the safety professional?

21 A. The current safety professional is named
22 Chuck, Chuck Thompson.

23 Q. Okay. And then you mentioned a group of
24 consultants. Is that just the consultants that you

1 already mentioned?

2 A. Yes, sir.

3 Q. Okay. Who directs the work of the
4 consultants, you or Chuck?

5 A. Oh, I -- it's not Chuck. I do.

6 Q. Okay. Who does Chuck work for?

7 A. I believe he works for Freeport Minerals
8 Corporation.

9 Q. So as far as Carteret goes and Freeport
10 Minerals, there's two people that work on the Carteret
11 project primarily. That would be you directing the
12 operations and you would have working for you Chuck.
13 Is that correct?

14 A. Chuck doesn't report directly to me. I
15 utilize Chuck as a safety resource to ensure that the
16 work that the consultants are doing is being performed
17 in a safe manner consistent with our safety
18 expectations.

19 Q. Okay. And who is -- how much of your time do
20 you spend in Carteret?

21 A. Do I spend physically there in Carteret?

22 Q. Yeah.

23 A. I try to get there at least once a month for
24 a day or two.

1 Q. Most of your work is done by e-mail or by
2 phone?

3 A. That's correct.

4 Q. Okay. Who's Michael Leach?

5 A. Michael Leach is the former project manager
6 for the Carteret project.

7 Q. Are you the current project manager for the
8 Carteret project?

9 A. I'm the project manager for the environmental
10 remediation, yes.

11 Q. Okay. When you say Michael Leach was the
12 former, did you take over responsibilities from
13 Michael Leach?

14 A. Yes.

15 Q. Okay. When you distinguished that you were
16 in charge of environmental remediation, was that the
17 same job that Michael Leach had?

18 A. Generally, yes.

19 Q. Okay. Who did Michael Leach work for?

20 A. What company?

21 Q. Yeah.

22 A. I believe Freeport Minerals Corporation.

23 Q. When did you take over for Michael Leach?

24 A. I can't recall exactly. I believe it was in

1 the 2014, 2015 time frame, but. . .

2 Q. When did you first have responsibilities for
3 Carteret?

4 A. I initially was involved on the business side
5 of Carteret, as I mentioned, the joint venture between
6 the company and VPA probably in the 2008 time frame.

7 Q. Okay. When did you have -- when did you
8 first have responsibilities for the environmental
9 cleanup in Carteret?

10 A. I -- I think you just asked that.

11 Q. Okay.

12 A. The transition from Mr. Leach to myself.

13 Q. Okay. I didn't know if that was your first
14 responsibilities to become project manager. That's
15 why I asked.

16 A. That's -- that's when I had direct
17 responsibility.

18 Q. Does Michael Leach still work for Freeport
19 Minerals?

20 A. He does not.

21 Q. Do you know when Michael Leach left?

22 A. Mr. Leach is deceased and I think he died in
23 the 2014, 2015 time frame, if I recall correctly.

24 Q. Is that around when you took over for him?

1 A. There was a bit of a transition. He -- he
2 was quite ill and was no longer able to fulfill his
3 project management responsibilities.

4 Q. Okay. Prior to -- do you know when
5 specifically you took over for Mr. Leach? You said
6 2014, 2015 time frame. Can you give me any spring,
7 fall, summer?

8 A. I wish I could. I just don't -- don't
9 recall.

10 Q. What did you do to prepare for your
11 deposition today?

12 A. Essentially I reviewed a large number of
13 documents. I -- excuse me -- had a number of meetings
14 with counsel to -- to review those documents. That's
15 pretty much what I did.

16 Q. When did you do that work? Over the last
17 several months or. . .

18 A. Over the last two or three months.

19 Q. When did you first know that you were going
20 to be deposed in this case?

21 A. Probably several months ago.

22 Q. Okay. So you reviewed documents, lots of
23 documents to prepare for this deposition. Are those
24 documents -- well, other than review documents, did

1 you talk to anyone?

2 A. I spoke with counsel.

3 Q. Okay. Other than speaking with counsel, did
4 you talk to any employees, former employees of USMR,
5 any other people that were onsite?

6 A. In preparation for this, no, I did not.

7 Q. I don't know where the exhibits are. Oh,
8 they're right there. They're just not in the Redweld.

9 Other than meeting with counsel and reviewing
10 documents, did you do anything else to prepare for
11 your deposition?

12 A. No.

13 Q. When did you meet with counsel?

14 A. Multiple times over approximately, I guess,
15 maybe the last six weeks or so.

16 Q. Okay. When was the first time you met with
17 counsel?

18 A. I'd have to look at my calendar.

19 Q. Do you keep a calendar?

20 A. Not -- not on me.

21 Q. Is it on your phone?

22 A. Could be.

23 Q. Is it on your phone?

24 A. Should be.

1 Q. Okay. It's on your phone?

2 A. Yeah.

3 Q. Okay. You understand you're under oath
4 today?

5 A. I do understand that.

6 (Exhibit No. 50 marked.)

7 Q. It's just the notice. I'll hand you Exhibit
8 50 to your deposition. It's the notice for the
9 30(b)(6) deposition of U.S. Metals and I believe Amax
10 and Freeport Minerals. Did you review Exhibit 50?

11 A. Yes, I've seen this document.

12 Q. Okay. Do you understand that you're here to
13 testify on behalf of these companies with respect to
14 the topics that you were identified for?

15 A. Yes, I understand that.

16 Q. Are you prepared to testify in response to
17 the substance of those topics?

18 A. Yes, I believe I am.

19 Q. Okay. I'm going to hand you Exhibit 3.

20 Exhibit 3 is a response from your counsel to that
21 notice and it identifies a number of documents that
22 were reviewed. I think it's probably the very last
23 attachment to that and I think you might have passed
24 where it starts. So there's -- there's an attachment

1 for Mr. Fenn and there's an attachment for you.

2 A. Oh. Okay.

3 Q. Okay. Are those the Bates numbers of the
4 documents that you have been given and reviewed in
5 preparation for your deposition?

6 A. I believe that's true.

7 Q. Okay. Do you handle the management of
8 payment of the contractors?

9 A. Yes.

10 Q. Okay. You put in requests for payments to
11 them when they send you an invoice?

12 A. We have a -- we have a process that invoices
13 are submitted electronically, reviewed and then
14 approved and then in turn paid.

15 Q. Okay. Can you explain that process to me?

16 A. Let's put the clip on first.

17 Q. Sure. The court reporter will thank you for
18 that.

19 A. You want to understand the whole -- the
20 whole --

21 Q. Sure. So Arcadis does -- does work. How
22 often do they bill you?

23 A. They bill on a monthly basis.

24 Q. Okay. So they send you a bill at the

1 beginning or the end of the month?

2 A. It's typically the middle of the month
3 covering the -- the month immediately preceding that.

4 Q. Okay. And is that bill sent to you?

5 A. No.

6 Q. Who is that bill sent to?

7 A. We have a service that receives the invoices,
8 scans them, and they're then delivered in electronic
9 format on whichever particular service order that work
10 is being performed pursuant to.

11 Q. Okay. And then how is the money -- how is it
12 determined whether they should be paid for the
13 invoice?

14 A. When the document is received by me
15 electronically, I'll review it for, you know, accuracy
16 both on the scope of work and the -- and the dollar
17 amount. If I'm -- if I have questions about the
18 invoice I'll get back directly with whichever
19 contractor sent the invoice to, you know, get some
20 clarifications. If I've got no issues with the
21 invoice, then I will approve it for payment.

22 Q. Okay. And then what do you do when you
23 approve it for payment?

24 A. Depending on how the service order is

1 configured in our system I'll enter the appropriate
2 numbers that are charged to each particular task and
3 then I'll hit approved or press approved.

4 Q. Okay. What's that system called?

5 A. We do it in -- it's called CAS.

6 Q. Okay.

7 A. Contract Administration System I believe is
8 what the acronym stands for.

9 Q. And then is a check sent out or is it
10 electronically transferred or wired or. . .

11 A. As far as I know they're wired. You know,
12 some -- some consultants may request paper checks, but
13 that's kind of done on the back end transparent to me.
14 I don't know specifically how one contractor might get
15 paid as compared to another.

16 Q. Do you know what account it's wired from?

17 A. No.

18 Q. Okay. Who is Ronald Buchanan?

19 A. Ronald Buchanan is an employee of Freeport
20 Minerals Corporation.

21 Q. Okay. What's his role in the Carteret
22 remediation?

23 A. He was providing some support to Mr. Leach in
24 the -- probably the 2010, 2012 time frame.

1 Specifically he was looking more at the onsite work
2 than -- well, there was no offsite work going on
3 during that time.

4 Q. Okay. And you talked about William Cobb.
5 That's the person that you report to. Correct?

6 A. That's correct.

7 Q. In addition to the Bates-numbered documents,
8 did you review anything else in this case?

9 A. Without knowing exactly which documents these
10 Bates numbers refer to, I mean, there are a lot of
11 them, you know, I may have reviewed other documents
12 that are not included here, but I don't know for sure.

13 Q. Okay. You said you met with counsel starting
14 about six weeks ago. When's the last time you met
15 with counsel to prepare?

16 A. I met with them for a brief time yesterday.

17 Q. Okay. Did you review any deposition
18 transcripts?

19 A. I -- I -- what deposition transcripts are you
20 referring to?

21 Q. Any of them. I don't know.

22 A. As -- as part of my document review, there
23 were several deposition transcripts I reviewed that
24 dated back to the '80s.

1 Q. Okay. Did you review John Fenn's deposition
2 or any portion of it?

3 A. I -- I have not personally seen Mr. Fenn's
4 transcript.

5 Q. Did you see a rough of a portion of his
6 transcript?

7 A. I may have seen -- you know, seen that
8 with -- with counsel.

9 Q. What high school did you go to?

10 A. Sunnyslope High School.

11 Q. What high school?

12 A. Sunnyslope.

13 Q. Okay. Do you attend a church?

14 A. I do.

15 Q. Okay. What church do you go to?

16 A. St. Patrick's in Scottsdale.

17 Q. So you -- did you review Mr. Fenn's
18 transcript?

19 A. I did not review Mr. Fenn's transcript.

20 Q. You did not read Mr. Fenn's transcript?

21 A. No.

22 Q. Did you look at it?

23 A. Perhaps from the distance I am from
24 Mr. Wilkinson, if that's so-called looking at a

1 transcript.

2 Q. I'm not asking you to tell me what your
3 counsel told you, but if you reviewed a document, I
4 need to know.

5 A. I did not review Mr. Fenn's transcript.

6 Q. Okay. How long did you meet yesterday?

7 A. Approximately three hours.

8 Q. Where did you meet?

9 A. In this building.

10 Q. Okay. What's the current status of sampling
11 in the residential areas in Carteret?

12 A. Sampling in the residential areas is ongoing.

13 Q. What's the status of sampling in the AOC in
14 Carteret?

15 A. Sampling in the AOC is ongoing.

16 Q. Okay. What's the -- what's the status of
17 sampling outside the AOC?

18 A. We're not currently doing any sampling
19 outside the AOC.

20 Q. What's the status of the delineation of USMR
21 or potential USMR contaminants in the residential
22 areas in Carteret?

23 A. Please repeat that.

24 Q. Sure. What is the status of your delineation

1 of the extent of contamination in Carteret?

2 A. That is a work in progress. We're continuing
3 to review the data we have available.

4 Q. What is the status of remediation in
5 Carteret?

6 A. The remediation within the AOC is ongoing.

7 Q. What's the status of remediation outside of
8 the AOC?

9 MR. SCHICK: Objection. Form.

10 A. We're not doing any remediation outside of
11 the AOC.

12 Q. (By Mr. Nidel) Are you planning to do any
13 remediation outside of the AOC?

14 A. At this point, that is yet to be determined.

15 Q. What is the plan for determining whether or
16 not you will do any remediation outside the AOC?

17 A. Once we complete our analysis of the
18 appropriateness of the current AOC boundary and review
19 that with the LSRP, the LSRP will make a determination
20 on whether modification of the boundary is
21 appropriate. In the event that he does determine that
22 expansion to the boundary is required, then we will
23 expand our sampling and remediation efforts to
24 properties outside of the current boundary. If the

1 LSRP determines that the current boundary is
2 appropriate, then things will stay as they are. We'll
3 complete our remediation within the boundary and not
4 do anymore outside of the boundary.

5 Q. What is the status of those discussions?

6 MR. SCHICK: Objection. Form.

7 A. Discussions with who, please?

8 Q. (By Mr. Nidel) With the LSRP.

9 A. We have not yet completed our analysis, so we
10 have not had any discussions on the AOC boundary with
11 the LSRP at this time.

12 Q. Okay. When is that scheduled to be
13 concluded?

14 MR. SCHICK: Objection. Form.

15 A. We're hopeful to initiate discussions with
16 the LSRP sometime later this summer.

17 Q. (By Mr. Nidel) You've already initiated
18 those discussions. Right? You've been discussing the
19 extent of the boundary for at least the last year and
20 a half. Right?

21 A. I'm not sure what you're specifically
22 referring to. We have worked with the LSRP to develop
23 a program where we would sample along transects beyond
24 the boundary of the AOC, but the information that's

1 gathered as part of that is the investigation that's
2 in progress and the one that we still have not
3 discussed in detail with the LSRP.

4 Q. Okay. But you have the data from the
5 transects. Correct?

6 A. We have some data from the transects, that's
7 correct.

8 Q. Okay. What -- what data do you not have from
9 the transects?

10 A. We have -- we have the data from the
11 transects that we committed to get as part of the
12 sampling program.

13 Q. Okay. So what data do you not have?

14 A. We're looking at potential other sources of
15 the constituents of concern that may be present in
16 both on and offsite within AOC and transect samples.

17 Q. Okay. What other sources are you looking at?

18 A. There are many other potential sources of
19 lead and arsenic in a residential environment like --
20 like Carteret. A few examples could be lead-based
21 paint, arsenic-containing wood from wood treatment,
22 lead from unleaded -- sorry, from the historic use of
23 leaded gasoline. A lot of material that we're finding
24 within the AOC as part of our remediation efforts is

1 historic fill that is derived from non-USMR sources
2 that was used over time. Historically the Carteret
3 area back in the 1800s was farmland, so there was, you
4 know, well-documented use of various pesticides and
5 herbicides from that time frame. So there -- there
6 are a lot of other sources of the constituents of
7 concern that we're evaluating. We are trying to
8 understand where those sources might have come from as
9 part of our overall review of the adequateness --
10 adequacy of the boundary.

11 Q. Okay. So I've got lead-based paint, I've got
12 arsenic-containing wood, I've got lead from leaded
13 gasoline, I've got material and historic fill and I've
14 got pesticides from the 1800s. Anything else?

15 A. I think that's a pretty comprehensive list of
16 things.

17 Q. Okay. What well-documented use is there of
18 pesticides in the 1800s in farmland in Carteret?

19 A. As part of our -- as part of our study we're
20 looking at historic documents and have identified the
21 use of certain pesticides and herbicides back in that
22 time frame.

23 Q. Okay. I haven't seen any of those documents.
24 Those are documents you guys are reviewing right now?

1 A. They're documents that we're in the process
2 of evaluating as part of our boundary evaluation.

3 Q. Okay. Have you given them to counsel?

4 MR. SCHICK: Counsel obtained them.

5 MR. NIDEL: We have them?

6 MR. SCHICK: I don't -- counsel, this
7 witness, I don't want him waiving privileges with
8 respect to expert testimony that this may relate to.

9 MR. NIDEL: Well, here's the thing. If
10 the company is taking actions factually in a case and
11 doing a remediation and negotiating with the State
12 and -- that's not expert testimony.

13 MR. SCHICK: Right.

14 MR. NIDEL: That's -- that's

15 consulting --

16 MR. SCHICK: He's already told you he
17 hasn't --

18 MR. NIDEL: -- for the purpose of
19 cleanup.

20 MR. SCHICK: -- he hasn't had the
21 discussions with the LSRP at this point. What he's
22 referring to is information that may have been
23 gathered by counsel for purposes of expert testimony
24 in this litigation, period. And I don't want this

1 witness -- I'm cautioning him not to waive that
2 privilege.

3 MR. NIDEL: Well, here's -- here's the
4 problem. I mean, if they're going to make decisions
5 whether to clean up an area or not to clean up an area
6 based on information, then that's not privileged.

7 Q. (By Mr. Nidel) So what pesticides did you
8 find were used in the 1800s?

9 A. I've not reviewed, you know, those documents.

10 Q. Well, you said it was well-documented use of
11 pesticides. I mean, was there cotton groves there?
12 What was -- what was going on there?

13 A. I don't know what was -- what was being
14 farmed.

15 Q. Okay. What pesticides?

16 A. I don't know specifically what pesticides
17 were being used.

18 Q. Okay. With respect to the historic fill,
19 what evidence do you have that any of the historic
20 fill that was used was contaminated with arsenic,
21 lead, dioxin, zinc, copper?

22 MR. SCHICK: Objection. Form.

23 A. It's my understanding that the DEP has
24 developed various maps that identify where fill is

1 used in certain areas of the state and that they have
2 documented that that fill has contained various
3 contaminants.

4 Q. Okay. I'm not talking about various areas of
5 the state. I'm talking about Carteret. I'm not
6 talking about the copper site. I'm talking about the
7 residential area. What evidence do you have that
8 material used for historic fill contained arsenic,
9 lead, copper, zinc or dioxins?

10 A. I believe if you'll look at DEP maps that it
11 shows that portions of the Carteret townsite were
12 constructed of historic fill and I believe DEP has
13 also generally indicated that historic fill does
14 contain various levels of various constituents.

15 Q. Okay. What documents that you reviewed to
16 prepare for this deposition show you that material
17 that was used for historic fill in the residential
18 areas of Carteret exceeded the cleanup standards?

19 A. I can't point to a specific -- specific
20 document, but there is documentation that indicates
21 where historic fill was -- was used in Carteret.

22 Q. Okay. I understand there are documents that
23 indicate where historic fill was used.

24 A. Uh-huh.

1 Q. I got that. I understand that that fill
2 probably contains some amount of arsenic, copper and
3 lead. Okay? But do you have any documents that say
4 that it contains arsenic, copper or lead or any other
5 constituent above a safety standard?

6 A. I don't know if -- I can't point to any
7 specific document that indicates a specific
8 concentration.

9 Q. Okay. Can you point to any document that
10 indicates a specific concentration -- sorry, not a
11 specific concentration but a concentration that
12 exceeds a residential safety standard?

13 A. I can't point to a specific document at this
14 time.

15 Q. So what you're saying is that right now the
16 potential -- it's simply a potential that historic
17 fill was used that hypothetically might have had
18 contamination?

19 A. I'm -- I'm not -- no, I'm not saying that
20 there's a potential that historic fill was used. It's
21 documented that historic fill was used.

22 Q. Okay. That wasn't my question. Maybe you --

23 A. That's what you just stated.

24 Q. No, because my question was there was a

1 potential that historic fill was used that contained
2 levels of these constituents above a cleanup standard.

3 MR. SCHICK: Objection. Form.

4 Q. (By Mr. Nidel) You don't have any actual
5 evidence that such fill was used. Correct?

6 A. We have evidence that fill was use --
7 historic fill was used in Carteret townsite.

8 Q. That's not my question. I understand
9 historic fill was used. Fair enough.

10 A. Uh-huh.

11 Q. I've seen the Sanborn maps, I've seen some of
12 the fill. My question is: Was historic -- what
13 evidence do you have that historic fill was used that
14 exceeded residential cleanup standards?

15 A. At this -- at this time, I don't have any
16 direct evidence that indicates that, but, you know,
17 regardless of whether there was constituents above
18 cleanup standards in historic fill, it's -- it's --
19 it's a fact that there are constituents in historic
20 fill of the various constituents of concern and that
21 along with these other lines of evidence, such as, you
22 know, the use of leaded gas, lead-based paint,
23 arsenic-containing wood, those are all lines of
24 evidence that point to multiple sources of these

1 contaminants in the Carteret townsite.

2 Q. Your sampling avoided leaded paint. Correct?

3 MR. SCHICK: Objection. Form.

4 Q. (By Mr. Nidel) You specifically -- your
5 sampling plan was designed to avoid picking up lead
6 from paint. Correct?

7 A. We employed an offset from certain
8 residential areas to attempt to avoid lead --
9 lead-containing paint as a contaminant in our
10 sampling. Did that mean that not a single piece of
11 lead paint was picked up in our sampling, no. If
12 you're out there scraping a deck or scraping the side
13 of a house, those paint chips are going to fly and
14 they're going to, you know, potentially fly beyond the
15 boundaries of what our offsets are. It's just a fact.

16 Q. Yeah, they're going to fly like they're
17 launched from a 400-foot stack. Right?

18 MR. SCHICK: Objection. Sidebar.

19 Q. (By Mr. Nidel) Is that right?

20 A. I don't know if you've ever, you know,
21 removed lead -- you know, removed paint from a -- from
22 a structure but it does tend to move.

23 Q. How many foot buffer did you use to keep --
24 to prevent from picking up hits of leaded paint?

1 A. I don't recall what -- what the exact buffer
2 is.

3 Q. Okay. And did you use also a buffer or you
4 have a practice in place to avoid too close to treated
5 woods, decks, fences, things like that?

6 A. It's my understanding that we do.

7 Q. Okay. And you said that -- I think your
8 testimony was that is it possible -- did you avoid
9 every speck of leaded paint and your answer was no.
10 Do you have any evidence that you picked up leaded
11 paint in your samples?

12 A. I think we've got one good example of -- of
13 lead paint that was picked up in a sample that was
14 part of the ISDA sampling.

15 Q. Okay. What was that?

16 A. It was -- I can't remember the exact
17 location. It was towards the northernmost part of the
18 ISDA generally within the center of the arc, but it
19 was a sample that was surrounded by, you know, a
20 number of other samples that were, you know, very low
21 in lead. And this particular sample registered 16,000
22 or so ppm of lead, so obviously, you know, that would
23 be -- that would be lead paint.

24 Q. Okay. Any other examples of picking up lead

1 paint in your sampling?

2 MR. SCHICK: Objection. Form.

3 A. During -- during which sample?

4 Q. (By Mr. Nidel) Any of it, yeah. Where else
5 did you pick up lead paint?

6 MR. SCHICK: Objection. Form.

7 A. You know, we have not essentially looked at,
8 you know, each of these samples through, you know,
9 scanning electron microscope or something which would
10 kind of differentiate a fleck of lead paint from --
11 from something else, but we have in the course of our
12 sampling identified some significant outliers that
13 are, you know, really not consistent with the, you
14 know, lead concentrations in other parts of the
15 particular property that would indicate that that
16 sample was not representative of the property as a
17 whole and was more likely impacted by some level of
18 lead paint or other -- or other form of lead or other
19 source of lead. Sorry.

20 Q. There is a way that you could have
21 fingerprinted for lead paint. Right?

22 MR. SCHICK: Objection. Form.

23 A. I'm sure there is. I'm not a -- I'm not a
24 forensic chemist.

1 Q. (By Mr. Nidel) But you've talk -- you talked
2 about it --

3 A. Yeah.

4 Q. -- in your work that there was a method you
5 could have employed to determine whether or not the
6 lead you were seeing was from lead paint. Right?

7 MR. SCHICK: Objection. Form.

8 A. I understand there are techniques that can
9 look and, you know, make a determination whether a
10 sample or a part of a sample is more likely to be
11 lead-paint derived as opposed to derived from
12 different -- some other source.

13 Q. (By Mr. Nidel) Okay. And you chose not to
14 use those techniques. Right?

15 MR. SCHICK: Objection. Form.

16 A. Well, I mean, in the -- in the establishment
17 of the data used to do the ISDA and the -- and the
18 AOC, we're not making an effort right now to
19 differentiate the lead. If the lead is there,
20 we're -- we're running the calculations and if it
21 indicates that there is an exceedance in the
22 residential cleanup standard we're cleaning it up.

23 Q. (By Mr. Nidel) Okay. I just want to be
24 clear: There are techniques that you could use to

1 determine if lead in a sample is from lead paint or
2 more likely to be from lead paint or not. Correct?

3 A. It's my understanding that is correct.

4 Q. Okay. And you have not employed those in
5 your investigation onsite. Correct?

6 MR. SCHICK: Objection. Form.

7 Q. (By Mr. Nidel) And by onsite, I mean in
8 Carteret, the residential site.

9 A. Not to my knowledge.

10 Q. Tell me about samples of arsenic that you
11 found that you think are from other sources. Where
12 have you found arsenic that's from another source?

13 MR. SCHICK: Objection. Form.

14 A. We've -- we've not attempted to do any
15 arsenic fingerprinting.

16 Q. (By Mr. Nidel) Have you attempted to do any
17 smelter fingerprinting of your lead or arsenic?

18 MR. SCHICK: Objection. Form.

19 A. Not that I'm aware of.

20 Q. (By Mr. Nidel) Okay. Have you ever heard of
21 doing an antimony testing to see if -- to correlate
22 with the lead levels to see if the lead is from a
23 copper smelter or a lead smelter?

24 A. No. As I said, I'm not a forensic chemist,

1 so. . .

2 Q. Okay. I'm not asking you if you're a
3 forensic chemist. And just to be clear, when I'm
4 asking you -- I'm using the word you and I'm asking
5 you as a representative of USMR. Okay? So is USMR
6 aware of any techniques to fingerprint smelter
7 contaminants -- smelter metals contaminants including
8 lead using antimony or any other method?

9 A. Not to my knowledge.

10 Q. Did you, being USMR, do any research on
11 fingerprinting lead from smelters?

12 A. Not to my knowledge.

13 Q. Was the purpose of the remedial action work
14 plan to ensure that post-remedial metals
15 concentrations no longer exceed New Jersey DEP
16 residential safety standards?

17 A. Could you run that past me again, please?

18 Q. Sure. Was the purpose of the remedial action
19 work plan to ensure that post-remedial metals
20 concentrations no longer exceed the New Jersey DEP's
21 residential cleanup standards?

22 A. That's generally accurate.

23 Q. You would agree with that?

24 A. Within the AOC, yes.

1 Q. Okay. What was -- what was Phase 1 of the
2 cleanup?

3 A. Phase 1, I think what you're referring to is
4 the ISDA work that was done and the ISDA or Phase 1
5 work was in an effort to attempt to delineate the
6 likely extent of various metals that could have come
7 from the smelter to be within portions of the
8 townsite.

9 Q. Okay. Is Phase 1 complete?

10 A. Yes, Phase 1 was completed when the ISDA was
11 essentially morphed into the AOC that we currently --
12 currently know.

13 Q. Okay. So Phase 1, the goal was to attempt to
14 delineate the likely extent of contaminants that were
15 possibly or probably associated with the smelter. Is
16 that fair? Or sorry, with the site, let's say.

17 A. That's correct.

18 Q. Okay. And maybe I should say facility
19 because my tendency is to call the cleanup the site.
20 So how about we call the USMR operation the facility,
21 or I'll try to do that.

22 You said Phase 1 was complete when the ISDA
23 morphed into the AOC. Correct?

24 A. Yes. We -- we collected data within the --

1 within the ISDA to validate our conceptual site model
2 that air deposition was the method whereby these
3 materials would have gone into the townsite. It
4 consisted of a sampling program that was reviewed and
5 approved by the LSRP. The data that was collected
6 supported the conceptual site model and resulted in
7 the establishment of the AOC, which prescribed the
8 extent --

9 Q. Sorry. We lost one.

10 A. That's okay. I put him to sleep.

11 Q. Or I did.

12 A. The -- I'm sorry -- the AOC which then
13 prescribed the boundaries of where we would be
14 conducting sampling on each individual property and
15 for which constituents that sampling would be
16 performed for.

17 Q. Okay. So does that mean that you now --
18 well, when was Phase 1 completed?

19 A. Probably in the 2015, early 2016 time frame.

20 Q. Okay. So does that mean since that
21 completion that you now have delineated the likely
22 extent of USMR's contaminants in the area?

23 A. Well, the ISDA informed the boundaries of the
24 AOC. What we're doing in Phase 2, which is the AOC

1 sampling which is a much more detailed sampling where
2 we're going into essentially every property and
3 obtaining many samples, that is allowing us to get the
4 data to determine whether the AOC boundary is
5 appropriate. We've always represented to the LSRP and
6 to the DEP that we wanted to let the data drive
7 decision-making process -- processes. So the data
8 that we're gathering from the AOC is going to be used,
9 as we discussed earlier, to evaluate whether the AOC
10 boundary is appropriate or whether it needs to be
11 expanded.

12 Q. Okay. So the goal of Phase 1 was to
13 delineate. Phase 1 is completed but it sounds like
14 you haven't quite delineated. Is that fair?

15 A. We've delineated what we believe is the
16 likely boundary. We're now collecting data to confirm
17 that that's the likely boundary. If the data does not
18 support that to the satisfaction of the LSRP and the
19 State, then the boundary will be modified accordingly.
20 If the data supports that the AOC is the appropriate
21 size, then we'll just continue along our remediation
22 program within the current AOC.

23 Q. Okay. Who is the LSRP?

24 A. Our LSRP is Michael McNally.

1 Q. Okay. Who does he work for?

2 A. Mr. McNally works for ELM.

3 Q. Okay. And you -- you're doing a lot of work
4 with ELM onsite, right? ELM is the contractor that's
5 doing the remedial investigation for the actual
6 smelter site. Right?

7 A. Yes.

8 Q. How much money are you paying ELM for that
9 work?

10 A. What time frame are you talking about?

11 Q. They did a big remedial investigation for the
12 smelter site. Right?

13 A. Yes.

14 Q. Do you know how much that cost?

15 A. It was -- it was done in several different
16 stages and different components, but all -- all
17 totaled, I would guess that it was somewhere in excess
18 of a million dollars.

19 Q. Okay. Have you ever been deposed before?

20 A. Yes.

21 Q. How many times?

22 A. Probably five or six.

23 Q. What was the nature of those depositions?

24 A. I've been deposed in a talc personal injury

1 case. I've been deposed on a couple of residential
2 soil cleanup projects. I've been deposed in a cost
3 allocation matter and I've been deposed in a -- this
4 is a long time ago, in a dispute over ownership of a
5 tailing facility in New Zealand. I didn't get to go
6 to New Zealand, though.

7 Q. Too bad. Have you talked to Mr. Fenn about
8 this case?

9 A. About the case, no.

10 Q. Did you talk to him about what he reviewed
11 for this case?

12 A. No.

13 Q. Did you talk to him about operations at the
14 USMR facility?

15 A. No.

16 Q. Is there anything that is affecting your
17 ability to testify truthfully today?

18 A. No.

19 Q. If you need to take a break at any time,
20 which we're about to take one, you can take one. You
21 just need to let me know and answer any question
22 pending. Okay?

23 A. Certainly.

24 Q. I would say that at least the one thing we've

1 been doing a good job of is letting each other speak
2 so the court reporter can get a good record. So we
3 both deserve a pat on the back for that. We'll hope
4 it continues. Does that sound fair?

5 A. Sounds fair. I've been to several deposition
6 where both people have talked at the same time and the
7 reporter yells at us.

8 Q. You might have been here on whatever --
9 Monday.

10 MR. SCHICK: Monday.

11 Q. (By Mr. Nidel) We had a little bit of that.

12 MR. NIDEL: Let's go ahead and take a
13 break.

14 THE WITNESS: Okay.

15 THE VIDEOGRAPHER: We are off the
16 record. It is 10:26 a.m. It's the end of Tape 1.

17 (Break.)

18 THE VIDEOGRAPHER: Okay. We are back on
19 the record. It is 10:38 a.m. This is the beginning
20 of Tape 2.

21 Q. (By Mr. Nidel) We talked a little bit about
22 the structure of your responsibility and your
23 workforce there and we talked about Mr. William Cobb.
24 Who did Mr. Cobb report to?

1 A. Mr. Cobb reports to Michael Arnold.

2 Q. Okay. Who is Michael Arnold?

3 A. I believe Mr. Arnold is the COO of Freeport
4 Minerals Corp.

5 Q. Okay. And what is -- I know you report to
6 Mr. Cobb, but what is his role with respect to
7 Carteret?

8 A. Well, ultimately he has responsibility, you
9 know, since I'm the manager and he's the vice -- he's
10 our vice president of environmental and sustainable
11 development.

12 Q. Okay. Does Mr. Cobb direct any of your
13 decisions or do you make your decisions on your own?

14 A. I tend to make my decisions in a
15 collaborative process and to the extent that I need
16 Mr. Cobb's expertise, I ask for it. So there's
17 certain issues that I, you know, make decisions on my
18 own and others where I, you know, seek the guidance of
19 other people, including Mr. Cobb.

20 Q. Okay. Who else would you seek the guidance
21 of?

22 A. Primarily, you know, the consultants that --
23 that I have working for me.

24 Q. Do you ever seek the guidance of Michael

1 Arnold?

2 A. I do not.

3 Q. We talked about Phase 1 and Phase 2. Is the
4 purpose of the work in Carteret to fully determine the
5 horizontal and vertical extent of pollution that may
6 have emanated from the site?

7 A. Phase -- the purpose of Phase 2 is to
8 evaluate the vertical and horizontal extent of the
9 constituents of concern that have been identified and
10 where they are present in concentrations that exceed
11 the New Jersey residential direct contact, so cleanup
12 standards to remediate those properties consistent
13 with the tech regs.

14 Q. So other than -- other than your
15 qualification that you're looking for exceedances of
16 the residential direct contact standards, you would
17 agree that the purpose of your work in Phase 1
18 combined with Phase 2 is to fully determine the
19 horizontal and vertical extent of pollution that was
20 emanating from the site?

21 MR. SCHICK: Objection. Form.

22 A. It's to determine the horizontal, vertical
23 extent of the three constituents of concern. Whether
24 or not they've originated from the site, we're not

1 testing out the specific contribution from the -- from
2 the site at this -- at this point.

3 Q. (By Mr. Nidel) Okay. Has -- have you ever
4 fully determined the horizontal and vertical extent of
5 pollution emanating from the site?

6 A. Can you repeat that?

7 Q. Yeah. Have you ever fully determined the
8 horizontal and vertical extent of pollution emanating
9 from the site?

10 A. That's -- that's a work in progress as, you
11 know, we discussed during the last session on the
12 appropriateness of the configuration of the AOC. Have
13 we completed horizontal and vertical delineation of
14 the constituents of concern within the AOC, not yet.
15 It's -- we're also continuing our sampling program.
16 There's certain properties that we have not yet
17 received approval from the property owner to perform
18 sampling and there's other properties where we have
19 done sampling but we have not yet completed vertical
20 delineation.

21 Q. Okay. You said it was a work in progress.
22 When did that work begin?

23 A. We began the -- which -- I mean, which work?

24 Q. The work designed or with the goal of fully

1 determining the horizontal and vertical extent of
2 pollution emanating from the site.

3 MR. SCHICK: Objection. Form.

4 A. If you're -- if you're talking within the
5 AOC, that was work that started in probably the 2014,
6 2015 time frame. Actually, probably -- no, I take --
7 I take that back. It was in -- later in 2015, and
8 that work is continuing.

9 Q. (By Mr. Nidel) Okay. And I understand that
10 you may be -- that work may be limited to the AOC, but
11 I just am trying to understand there's a work in
12 progress to fully delineate or determine the
13 horizontal and vertical extent of pollution emanating
14 from the site and I believe it's your testimony that
15 that work started in around 2014 or 2015. Is that
16 correct?

17 A. That's correct, as part of the AOC work. I
18 mean, the ISDA work, which was kind of a prelude to
19 the AOC, you know, Phase 1 before Phase 2, you know,
20 started in 2013, I believe.

21 Q. Okay. I want to know the earliest date that
22 that work in progress started. So would that be 2013?

23 A. When we first started ISDA sampling I believe
24 that was 2013. It may have been in 2012. I don't

1 remember the exact date.

2 Q. Okay. 2012 or 2013. So that sampling work
3 would have been the first of your efforts to fully
4 determine the horizontal and vertical extent of
5 pollutants emanating from the site. Correct?

6 A. I'd say that's correct.

7 Q. Where did the metals in Carteret come from?

8 MR. SCHICK: Objection. Form.

9 A. Metals in Carteret could have come from a
10 variety of sources. The USMR smelter is a potential
11 source, lead-based paint, arsenic-containing wood,
12 leaded gasoline, all of the things that I've mentioned
13 previously, including other industries. We're talking
14 central New Jersey here and there's a lot of other
15 industry in the area that, you know, could have
16 contributed to, you know, what you're very generally
17 referring to as metals in Carteret.

18 Q. (By Mr. Nidel) Was the work to delineate the
19 horizontal and vertical extent of pollutants emanating
20 from the site, was that work important to protect the
21 public?

22 MR. SCHICK: Objection. Form.

23 A. The DEP requested that the company evaluate
24 metals concentrations in the soil outward from the

1 historic area and, where necessary, to remediate that.

2 Q. Was that work important to the protection of
3 the public?

4 MR. SCHICK: Objection. Form.

5 A. You'd probably have to ask the DEP as to, you
6 know, what their motivation was to require the
7 sampling to be -- the sampling and remediation to be
8 done whether there was a health-based reason or other.
9 We were -- we are required by the State to do it and
10 they -- we -- we followed suit.

11 Q. (By Mr. Nidel) Okay. Right now I'm asking
12 USMR and I'm asking if that was important to protect
13 the public.

14 A. Help me understand what you're meaning by
15 protect.

16 Q. You don't know what I mean by protect?

17 A. I need you to be a little more specific on --
18 protecting the public is pretty broad.

19 Q. Okay. You understand that we're on video
20 today. Right?

21 A. I do.

22 Q. Okay. And you understand that that video of
23 your testimony can be played to the jury. Correct?

24 A. I -- I assume.

1 Q. Okay. You're not understanding what I mean
2 by protect. Is that right?

3 A. I'm asking you to be more specific on where
4 you're -- where you're going with this.

5 Q. Okay. You would agree that lead is toxic.
6 Do you know that?

7 MR. SCHICK: Objection. Form.

8 A. What concentrations are you -- are you
9 talking about here?

10 Q. (By Mr. Nidel) Is any amount of lead good
11 for a child?

12 MR. SCHICK: Objection. Form.

13 A. I -- I don't know.

14 Q. (By Mr. Nidel) You don't know if lead is
15 good for children?

16 A. Again, yeah, you're making a very broad
17 statement. What's -- you know, what's good? I mean,
18 every -- there's lead in the environment. It's
19 present in certain concentrations everywhere. So, you
20 know, in certain concentrations it's -- it's probably
21 not good; in certain other concentrations it may not
22 be an issue, so. . .

23 Q. What concentrations of lead are good for
24 kids?

1 MR. SCHICK: Objection. Form. Beyond
2 the scope.

3 A. It's -- it's my opinion that there are
4 residential cleanup standards established in the state
5 of New Jersey that prescribes 400 parts per million
6 lead as -- as a cleanup standard.

7 Q. (By Mr. Nidel) And that level of lead is --
8 if you're at 399 that's good for kids?

9 MR. SCHICK: Objection. Form.

10 A. Based on, you know, my understanding of the
11 standard, 399 is a level at which there would be no
12 risk as compared to 401.

13 Q. (By Mr. Nidel) So there's no risk at 399,
14 but there becomes a risk requiring cleanup and
15 remediation at 401. Is that your testimony?

16 MR. SCHICK: Objection. Form. Calls
17 for expert testimony.

18 A. The residential cleanup -- the residential
19 direct contact cleanup standard in New Jersey is 400.
20 So if you're saying that there's a concentration of
21 401, then based on the New Jersey regulations that
22 particular property would require cleaning up. If the
23 concentration is 399, it would not require cleaning
24 up. The standard is 400.

1 Q. (By Mr. Nidel) Okay. Is exposure of kids to
2 lead in their front yards at 399 good for them?

3 MR. SCHICK: Objection. Form. Overly
4 broad. Beyond the scope and calls for expert
5 testimony.

6 A. I don't know what you mean by good for them,
7 but the State of New Jersey has determined that a
8 concentration of 399 does not pose a risk.

9 Q. (By Mr. Nidel) They've decided that it does
10 not pose a risk or they've decided that they're not
11 going to require you by law to clean it up?

12 MR. SCHICK: Objection. Form.

13 Q. (By Mr. Nidel) Which one of those, or you
14 can pick your own?

15 A. I believe it's both. The risk-based cleanup
16 standard is 400, so by definition 399 does not pose a
17 risk nor require cleanup.

18 Q. Okay. What about arsenic? What's a safe
19 level of arsenic in a kid's front yard?

20 MR. SCHICK: Objection. Form.

21 A. The DEP, again, has determined that the
22 residential direct contact cleanup standard for
23 arsenic is 19 parts per million.

24 Q. (By Mr. Nidel) Okay. Lead is a neurotoxin.

1 Correct?

2 MR. SCHICK: Objection. Form. Calls
3 for expert testimony.

4 A. I -- I believe that's the case.

5 Q. (By Mr. Nidel) Do you understand lead to be
6 a neurotoxin?

7 A. I believe I do.

8 Q. Okay. Do you understand that arsenic is a
9 carcinogen?

10 A. I believe I do, yes.

11 Q. Okay. What level of arsenic exposure for
12 kids is safe?

13 MR. SCHICK: Objection. Form.

14 A. Again, I'm deferring to the New Jersey
15 residential direct contact cleanup number of 19. So
16 anything below 19 by definition as defined by the DEP
17 is considered safe.

18 Q. (By Mr. Nidel) Okay. Did you -- and again,
19 throughout the day when I use you I mean USMR and
20 related defendants, but did you ever consult a
21 toxicologist or an epidemiologist as to what levels
22 the company would feel were safe for its community
23 members to be exposed to in their soil?

24 A. In the development of the Phase 2 remedial

1 action work plan, we utilized the cleanup numbers that
2 were prescribed by the State of New Jersey.

3 Q. Okay. My question was: Did you ever consult
4 a toxicologist or an epidemiologist as to what you,
5 the company, thought would be safe for its community?

6 MR. SCHICK: Objection. Form.

7 A. No. I believe that the company chose to
8 utilize the standards which were prescribed by the
9 state regulators.

10 Q. (By Mr. Nidel) Okay. Did you, the company,
11 ever investigate what other states had other cleanup
12 standards to see that -- to ensure that the New Jersey
13 cleanup standards were protective of the public that
14 were surrounding your facility?

15 MR. SCHICK: Objection. Form.

16 A. Well, the State of New Jersey is charged with
17 protecting the residents of New Jersey and the cleanup
18 standards that are prescribed by the State are what
19 the State of New Jersey deems to be protective to its
20 citizens.

21 Q. (By Mr. Nidel) Okay. Do you agree that
22 people should not be exposed to hazardous pollutants?

23 A. What kind of hazardous pollutants are you
24 talking about here?

1 Q. Ones that are neurotoxins or ones that are
2 carcinogenic or both.

3 A. At -- you know, at what concentrations? I
4 mean arsenic, lead, I mean, all of these things that
5 you're probably broadly defining as hazardous
6 materials are in our everyday environment. So help me
7 understand what, you know, specifically, you know,
8 where, what concentrations, what specific chemicals
9 you're talking about.

10 Q. I'm talking about neurotoxins and carcinogens
11 and I'm just asking you if you agree that people
12 should not be exposed to them?

13 MR. SCHICK: Objection. Form. Beyond
14 the scope.

15 A. Again, at -- at what concentrations?

16 Q. (By Mr. Nidel) At any concentration
17 unnecessarily. Do you agree that people should not be
18 exposed to carcinogens and neurotoxins unnecessarily?

19 MR. SCHICK: Objection. Form. Beyond
20 the scope.

21 A. Again, you know, we're -- we're exposed to,
22 you know, these materials every day just by virtue of
23 breathing, breathing in air. So, you know, again, I'm
24 not -- I'm not trying to be evasive here.

1 Q. (By Mr. Nidel) No.

2 A. I'm just trying to understand.

3 Q. Nope, nope. I just want to know can you
4 answer with a yes or no that you agree that people
5 should not be unnecessarily exposed to carcinogens and
6 neurotoxins, yes or no?

7 MR. SCHICK: Objection. Form. He does
8 not have to answer yes or no to the question.

9 MR. NIDEL: That's fine. If he can't
10 answer --

11 Q. (By Mr. Nidel) My question is: Can you
12 answer with a yes or no?

13 A. I don't think I can answer with a yes or no.

14 Q. Okay. Do you agree that a company that
15 releases hazardous chemicals or pollutants into the
16 environment should clean them up?

17 MR. SCHICK: Objection. Form.

18 A. How are they being released and what
19 concentrations are they being released, what's being
20 released, what's the regulatory, you know, regime that
21 they're being released pursuant to? You're asking
22 very broad questions.

23 Q. (By Mr. Nidel) I guess I would start with
24 the way that USMR released those chemicals into the

1 surrounding community when it operated. So do you
2 agree that a company like USMR that releases through
3 its stacks or its fugitive emissions hazardous
4 neurotoxins and carcinogens should clean them up?

5 MR. SCHICK: Objection. Form. Beyond
6 the scope.

7 A. Again, you know, it would depend on what's
8 being released, how much, you know, what the exposure
9 is to a resident.

10 Q. (By Mr. Nidel) She corrected me for making
11 that noise before.

12 What's being released, lead, arsenic,
13 cadmium, dioxin?

14 MR. SCHICK: Objection. Form.

15 Q. (By Mr. Nidel) Do you agree that a company
16 like USMR that releases like USMR did, lead, cadmium,
17 dioxin, arsenic, should clean those things up?

18 MR. SCHICK: Objection. Form.

19 A. Not -- not necessarily. It would depend on,
20 you know, what was being released, how much was being
21 released, where it was being -- where it was being
22 released to. You know, that's a very -- you know,
23 it's not a yes or no answer. There's a lot of, you
24 know, underlying clarifications that, you know, I need

1 to understand before I can give a more precise answer.

2 Q. (By Mr. Nidel) Okay. I'm going to clarify
3 them for you. Being released to a neighborhood, okay?
4 Would you agree that a company that releases, as USMR
5 did, lead, cadmium, arsenic and dioxin into a
6 residential neighborhood should clean them up?

7 MR. SCHICK: Objection. Form.

8 A. Again, were they being released in excess of
9 standards of regulatory obligations, of, you know -- I
10 can't say yes or no.

11 Q. (By Mr. Nidel) Okay. You cannot answer the
12 question yes or no unless I specify whether they're
13 being released in excess of some regulatory obligation
14 that may or may not have existed in 1940. Is that
15 your testimony?

16 MR. SCHICK: Objection. Form.

17 A. I need to understand, you know, kind of, you
18 know, what the releases were, what the impact on the
19 community, you know, might have been and, you know --
20 you know, you're not -- you've not given me that
21 clarification.

22 Q. (By Mr. Nidel) You know how they were
23 released by USMR. Correct?

24 A. Generally they were released through stack

1 and fugitive emissions, if you're talking about the
2 operation of the -- of the historic smelter.

3 Q. Okay. The smelter and the related other
4 things that went on at that facility. Correct?

5 MR. SCHICK: Objection. Form.

6 A. Other things?

7 Q. (By Mr. Nidel) The other furnaces, the lead
8 plant, those things. Right?

9 A. Okay.

10 Q. That's part of the facility. Right?

11 A. That'll be part of the -- yeah, the larger
12 facility, yes.

13 Q. And assuming that the hazardous pollutants
14 including lead, arsenic, cadmium, dioxin, were
15 released in a way that was through the stacks and
16 through fugitive emissions into a residential
17 community, would you agree that a company should then
18 clean them up?

19 MR. SCHICK: Objection. Form. Beyond
20 the scope.

21 A. Again, released at what concentrations from
22 the source and, you know, what concentration within
23 the community?

24 Q. (By Mr. Nidel) What concentration did USMR

1 release pollutants into the community?

2 A. I don't know those numbers. That might have
3 been something you would have obtained from Mr. Fenn
4 from historic operations.

5 Q. Unfortunately he couldn't really provide
6 those.

7 A. I'm equally unable to cite any specifics.

8 Q. Okay. So as part of your cleanup on the
9 site, you did not go back and try to understand what
10 the nature of USMR's emissions were?

11 A. As part of the onsite cleanup?

12 Q. No. As part of your offsite cleanup, did you
13 not go back to determine how those -- you're
14 struggling to answer my question. I said the way USMR
15 did. Do you not know how USMR released pollutants
16 into the environment?

17 MR. SCHICK: Objection. Form. He's
18 already answered that.

19 A. They were released through stacks and through
20 fugitive emissions.

21 Q. (By Mr. Nidel) Okay. What was?

22 A. Various -- various metals.

23 Q. Okay. Name them.

24 A. I don't have a comprehensive list in my head,

1 but copper, lead, arsenic, zinc, cadmium, a couple of
2 others potentially, selenium.

3 Q. Dioxin?

4 A. There was stack testing performed that
5 indicated that there were levels of dioxin emitted, as
6 well.

7 Q. Okay. So -- and were they in compliance with
8 regulatory standards or not?

9 MR. SCHICK: Objection. Form.

10 A. When?

11 Q. (By Mr. Nidel) Historically. Were they ever
12 out of compliance with regulatory standards?

13 MR. SCHICK: Objection. Form.

14 A. It's my understanding that there were some
15 notices of violation issued by the DEP during the '70s
16 and '80s.

17 Q. (By Mr. Nidel) In the '60s?

18 A. I'm not aware of any in the '60s.

19 Q. Okay. When did regulations with respect to
20 USMR's emission of pollutants, when did they start
21 regulating those?

22 A. I don't know precisely, but it was more
23 than -- more than likely with the advent of the Clean
24 Air Act in the early '70s.

1 Q. Okay. So if we go back into the '40s and
2 '50s there were no regulations as to how much they
3 could emit. Correct?

4 A. I'm not aware of any that dated back to the
5 '40s and '50s.

6 Q. Okay. So would you agree that a company that
7 releases arsenic, lead, cadmium, dioxin the way USMR
8 did through its stacks and fugitive emissions without
9 any regulatory limits should -- into a residential
10 community should clean them up?

11 MR. SCHICK: Objection. Form.

12 A. Again, you know, what were the -- what were
13 the concentrations back then, you know, what was --
14 what was the impact to the community.

15 Q. (By Mr. Nidel) Do you know how much lead was
16 released by the facility?

17 MR. SCHICK: Objection. Form.

18 A. No.

19 Q. (By Mr. Nidel) Do you know how much arsenic
20 was released from the facility?

21 MR. SCHICK: Same objection.

22 A. No.

23 Q. (By Mr. Nidel) Do you know how much dioxin
24 was released from the facility?

1 MR. SCHICK: Objection. Form.

2 A. No.

3 Q. (By Mr. Nidel) Would the level of -- would
4 the amount of lead released from the facility
5 historically be important to assessing the horizontal
6 and vertical extent of contamination that was released
7 from that site?

8 A. I'd say no.

9 Q. You relied on some air modeling from various
10 USMR folks as well as consultants. Correct?

11 A. To a certain extent, yes.

12 Q. Okay. And that air modeling used various
13 estimates of amounts called emissions inventories for
14 the facility. Right?

15 A. I'm not an air modeler, but I don't know what
16 you'd call that, an emissions inventory. I'm not sure
17 all of the parameters that went into the -- into the
18 models. But yeah, I mean, your term of emissions
19 inventory I don't really think fits.

20 Q. Okay. But you know that they relied on the
21 amounts of pollutants that came from the facility.
22 Correct?

23 MR. SCHICK: Objection. Form.

24 A. It's -- it's my understanding that the models

1 used some very broad estimates of plant throughputs,
2 stack heights, things -- things of that sort because
3 there was really not a lot of site-specific
4 information so the models were -- used the best data
5 that they could put together. But it certainly
6 wouldn't be what I call a thorough air quality -- air
7 emissions model.

8 Q. (By Mr. Nidel) Okay. But the -- the point
9 was that they used emissions data, the best data they
10 could find, to do that modeling. Correct?

11 A. Generally, yes.

12 Q. Okay. And you would agree that the amount of
13 a pollutant that's emitted from a site affects how far
14 and how -- how far horizontally and how deep
15 vertically it's going to go ultimately. Correct?

16 MR. SCHICK: Objection. Form.

17 A. Repeat that, please.

18 Q. (By Mr. Nidel) Okay. Would you agree that
19 the amount of some pollutant that's released from a
20 facility is going to play some role in determining how
21 far and how deep that pollutant will travel?

22 A. Well, if -- if --

23 MR. SCHICK: Objection. Form.

24 A. If you look at it from an air modeling

1 standpoint, I mean, certainly those are important
2 factors. I mean, they're not the only factors. I
3 mean, there's meteorological conditions, all sorts of
4 things that go into a model, all of which when you're
5 looking back over the operation of a facility that
6 operated as long as USMR they're -- you know, they're
7 somewhat estimates. So you use the -- you know, the
8 best data that you can to put a model together,
9 qualifying it that it's based on -- on estimates.

10 What was -- what was important for the model
11 that was developed was, it substantiated the
12 conceptual site model that we had developed and in
13 that, you know, emissions from the facility generally
14 dropped out in fairly close proximity to the site and
15 then dropped exponentially, asymptotically, whatever
16 word you want to use, as you moved away from the site.
17 That was the basis for our conceptual model which we
18 attempted to validate through the sampling and the
19 ISDA.

20 Q. (By Mr. Nidel) Okay. And did the sampling
21 and the ISDA as well as all the other sampling, did it
22 in fact validate that model?

23 A. The ISDA sampling did validate that model.
24 We looked at -- essentially followed the DEP

1 guidelines on establishing essentially a set of
2 concentric arcs that gradually go away from the
3 facility. Sampling within those arcs showed a
4 decrease in the metals that we were analyzing for
5 consistent with our conceptual site model.

6 Q. So it's your testimony that it's not
7 important to you to know -- to your work in
8 delineating the horizontal and vertical extent of
9 contaminants from the site to know how much of those
10 contaminants were released from the site and what the
11 historical breakdown was of those releases?

12 MR. SCHICK: Objection. Form.

13 A. At the end of the day, frankly, it's not that
14 important. What's important is -- I mean, you can --
15 you can do the best air quality model in the world
16 and, you know, it's still going to be exactly that, a
17 model. What we think is the most appropriate way to
18 determine what the impacts are are to get actual
19 samples.

20 Q. (By Mr. Nidel) Okay. And that's what you
21 guys decided, was that you were going to rely on
22 actual samples rather than modeling. Correct?

23 A. We used modeling to inform, you know, what
24 the likely boundary of the ISDA and then ultimately

1 the AOC would be, but it was through the collection of
2 actual data that allowed us to substantiate the
3 conceptual site model and to then affirm that the
4 ISDA, which became the -- generally the AOC, was an
5 appropriate boundary that was likely where
6 contaminants from the facility came to be.

7 Q. And you don't know how much lead, arsenic, or
8 dioxin were released from the site. Correct?

9 MR. SCHICK: Objection. Form.

10 Q. (By Mr. Nidel) The facility.

11 A. No.

12 Q. Okay. Where did the metals go that were
13 released?

14 MR. SCHICK: Objection. Form.

15 A. Where did the metals go?

16 Q. (By Mr. Nidel) Yeah. You talked about
17 arsenic, lead, cadmium, selenium. Where did they go?

18 A. They were generally emitted to the air and
19 came to rest in fairly close proximity to the site.

20 Q. Okay. So they went into the Arthur Kill?

21 A. A few --

22 MR. SCHICK: Objection. Form.

23 A. Yeah, it's likely that there was some in the
24 Arthur Kill.

1 Q. (By Mr. Nidel) Okay. They also went into
2 Carteret?

3 A. I believe our modeling and subsequent
4 sampling indicates that there's potentially, you know,
5 metals from the facility in portions of Carteret.

6 Q. Okay. What about Port Reading?

7 A. I'm not sure where Port Reading is.

8 Q. Okay. What metals ratio is consistent with
9 USMR smelter emissions?

10 MR. SCHICK: Objection. Form. Beyond
11 the scope.

12 Q. (By Mr. Nidel) I mean, this is -- this is in
13 all their remedial work plans, remedial investigative
14 action work plans, sampling action plans. What metals
15 ratio is consistent with USMR smelter emissions?

16 MR. SCHICK: Same objections.

17 A. I don't know off the top of my head.

18 Q. (By Mr. Nidel) Okay. What was the ratio of
19 metals in the smelter emissions themselves?

20 MR. SCHICK: Objection. Form.

21 A. I don't know off the top of my head.

22 MR. NIDEL: What's the objection?

23 MR. SCHICK: It's overly broad. Calls
24 for expert testimony and it's not anything in the

1 topics for this witness.

2 MR. NIDEL: It's absolutely in the
3 topics. The metals ratio was used as a means of
4 delineating the extent of contamination. So it's
5 absolutely relevant and the metals ratio consistent
6 with USMR smelter operations is a quote from his own
7 documentation from his consultants.

8 Q. (By Mr. Nidel) So I will ask again: What
9 metals ratio is consistent with USMR smelter
10 emissions?

11 MR. SCHICK: Same objection.

12 A. I don't know off the top of my head.

13 Q. (By Mr. Nidel) You understand you were
14 prepared to answer questions about the soil
15 investigation and delineation of pollutants from the
16 site. Correct?

17 A. Yes, and I'm prepared to do that.

18 Q. Okay. And the metals ratio is one of the
19 things that was used by your consultant Geosyntec to
20 tell you that you could argue that the contaminants in
21 the AOC were not yours. Correct?

22 MR. SCHICK: Objection. Form.

23 A. It's -- yeah, it's my understanding that was
24 one aspect of what Geosyntec is looking at, but I

1 don't have a precise number for a metals ratio. And
2 certainly when you're looking at the probably tens of
3 thousands of samples that we've taken within the AOC,
4 you know, there's no specific number that's the ratio.

5 Q. (By Mr. Nidel) Okay. What was the ratio of
6 metals in the smelter emissions?

7 MR. SCHICK: Objection. Form.

8 A. I don't know.

9 Q. (By Mr. Nidel) Okay. What was the
10 arsenic-to-copper ratio in smelter emissions?

11 MR. SCHICK: Objection. Form.

12 A. I don't know.

13 Q. (By Mr. Nidel) How is the arsenic-to-copper
14 ratio an indicator of the use of arsenic-based
15 pesticides?

16 MR. SCHICK: Objection. Form. Calls
17 for expert testimony.

18 MR. NIDEL: And to be clear, I'm not
19 asking for expert testimony. This is -- this is --
20 this is something that was used by Mr. Brunner and his
21 consultants to make an argument that arsenic-based
22 pesticides were used.

23 Q. (By Mr. Nidel) So what arsenic-to-copper
24 ratio indicates the use of arsenic-based pesticides?

1 A. If you're looking for a specific number, I
2 can't give you that, but if you -- if you look at a
3 trend of the arsenic-to-copper ratio of the, you know,
4 thousands of samples that have been obtained, you
5 know, through the -- through the sampling program and
6 you observe an arsenic-to-copper number that is way
7 higher than, you know, what the rest of the data is
8 you can assume that there's something else going on
9 with that sample potentially, in the case of arsenic,
10 arsenic-based wood treatment, pesticides, something of
11 that sort.

12 Q. So it's just a question of high or low?

13 MR. SCHICK: Objection. Form.

14 A. It would be an expert's determination of a
15 significant deviation from what the rest of the data
16 suggests.

17 Q. (By Mr. Nidel) How much copper was released
18 from the facility relative to arsenic?

19 MR. SCHICK: Objection. Form.

20 A. I don't know.

21 Q. (By Mr. Nidel) How much lead was released
22 relative to copper?

23 MR. SCHICK: Same objection.

24 A. I don't know.

1 Q. (By Mr. Nidel) Why do you expect that the
2 arsenic-to-copper ratio to be any specific thing?

3 MR. SCHICK: Objection. Form.

4 A. I'm not sure I understand that question. Can
5 you repeat it, please?

6 Q. (By Mr. Nidel) Yeah. Why is it that you
7 would expect in sampling and analyzing your soil
8 samples that the arsenic -- arsenic level would have
9 anything particularly to do with the copper level?

10 MR. SCHICK: Same objection.

11 A. Again, you know, it probably calls for, you
12 know, more of an expert opinion than mine, but. . .

13 Q. (By Mr. Nidel) You used these ratios to
14 determine whether you had adequately delineated the
15 site. You made arguments based on these ratios to the
16 LSRP and to the Borough and to the State that you had
17 delineated the site based on what you saw in the
18 samples and how it reflected these ratios. Correct?

19 A. You're -- you're acting as if this study has
20 been completed and, you know, I think once -- once the
21 study is completed, that's going to be one line of
22 evidence.

23 Q. You can keep talking. She's recording it.

24 A. I'm not talking.

1 Q. I'm not acting as if it's completed. I'm
2 acting as if you have made statements, you
3 specifically have made statements and your consultants
4 have made statements about these ratios, which is why
5 I'm asking to try and understand them. I don't know
6 if you're complete or not. You've said you're not
7 complete, but you've made arguments and I'm asking
8 what's the logic of those arguments? Okay. Do you
9 understand that?

10 A. Go on. I mean. . .

11 Q. Do you understand that?

12 A. I mean, I understand what you're -- what
13 you're getting at, but I'm also trying to explain
14 that, you know, this is a work in progress. You know,
15 we haven't shared, you know, a lot of specific
16 information about the adequacy of the AOC boundary at
17 this point. We're saying that the use of metal ratios
18 is one thing that is being looked at as part of a
19 larger study.

20 Q. Okay. And I'm asking you what the logic is
21 of those metals ratios because when you come out with
22 a conclusion, which I believe you've already argued
23 through your remedial action work plan addendum, that
24 I can understand what the basis is for expecting that

1 the lead ratio -- that the lead amount would have
2 anything to do with the copper amount or the arsenic
3 amount would have anything to do with the copper
4 amount or the arsenic amount would have anything to do
5 with the lead amount. That's what I'm trying to
6 understand. Okay?

7 So what is your expectation of the
8 arsenic-to-copper ratio as reflected in the smelter
9 emissions?

10 MR. SCHICK: Objection. Beyond the
11 scope.

12 A. Part of the study that the consultants will,
13 you know -- is working on and will -- will discuss or
14 will, you know, ultimately present to the LSRP is the
15 theory that metals ratios are one technique to
16 fingerprint, if you will, emissions that may have come
17 from the smelter. Now, once, you know, we compare
18 that fingerprint with the AOC fingerprint with the
19 fingerprint from the various samples obtained from the
20 transects, that will inform the decision on whether or
21 not the AOC is appropriately sized.

22 Q. (By Mr. Nidel) What is the fingerprint of
23 metals in the -- in the facilities emissions?

24 MR. SCHICK: Objection. Overbroad.

1 Beyond the scope.

2 A. Yeah. Off the top of my head, I don't know.

3 Q. (By Mr. Nidel) I'm not asking you off the
4 top of your head.

5 MR. NIDEL: This is perfectly within the
6 scope. This is something that he just told us was
7 being used to delineate whether they were responsible
8 for the contaminants.

9 MR. SCHICK: He told you as a general
10 proposition ratios are used for that purpose.

11 MR. NIDEL: Right, and I need to know --

12 MR. SCHICK: It's not -- it's not within
13 his purview or within --

14 MR. NIDEL: Absolutely it is.

15 MR. SCHICK: -- the topics that you've
16 asked for for him --

17 MR. NIDEL: Absolutely it is.

18 MR. SCHICK: -- to provide expert
19 testimony --

20 MR. NIDEL: I'm not asking for --

21 MR. SCHICK: -- with respect to the --

22 MR. NIDEL: -- expert testimony.

23 (Simultaneous discussion interrupted by
24 the reporter.)

1 MR. NIDEL: Go ahead.

2 MR. SCHICK: -- with respect to
3 precisely what those ratios are.

4 MR. NIDEL: I'm not asking for expert
5 testimony. I'm not even asking for precision. I'm
6 asking for an answer.

7 Q. (By Mr. Nidel) What is the fingerprint of
8 the facility's emissions in terms of metals?

9 MR. SCHICK: Same objection.

10 A. Now, I mean, you've now gone from metals
11 ratio to fingerprint. What --

12 Q. (By Mr. Nidel) You went to fingerprint.

13 A. Well, I used that as in a generic way,
14 but. . .

15 Q. Okay. Well, I'm going to that, then. I go
16 where you go. So I'm asking what the fingerprint of
17 metals is in what was emitted from the facility.

18 A. I don't know at this time.

19 Q. The metals were emitted from what sources on
20 the site?

21 A. Primarily the historic smelter.

22 Q. How about the lead plant?

23 A. There may have been emissions from the lead
24 plant.

1 Q. Okay. How tall of a stack was in the lead
2 plant?

3 A. I don't know.

4 Q. Okay. When did the lead plant begin its
5 operations?

6 A. I don't know.

7 Q. When did it shut down?

8 A. I don't know.

9 Q. How about fugitive sources on the site?

10 A. What about them?

11 Q. Were they a source of metal emissions?

12 A. Yes.

13 Q. Okay. What about upset conditions?

14 MR. SCHICK: Objection. Form.

15 A. What do you -- what specifically?

16 Q. (By Mr. Nidel) Well, how about when -- how
17 about when the wire furnace exploded?

18 MR. SCHICK: Objection. Form.

19 Q. (By Mr. Nidel) Was that a source of
20 emissions from the site?

21 A. I don't know.

22 Q. Okay. Was the wire furnace a source of
23 emissions from the site?

24 A. I don't know.

1 Q. Was the open burning of insulated wire a
2 source of emissions from the site?

3 A. It could have been.

4 Q. Okay. What emissions would have come from
5 that?

6 A. Help me understand about what kind of wire
7 are you talking about.

8 Q. Insulated wire.

9 A. Insulated with?

10 Q. PVC.

11 A. If there was open burning of insulated wire,
12 then various hydrocarbons could have been emitted,
13 potentially PAHs and dioxins.

14 Q. Okay. And what sampling have you seen of the
15 open burning activities that were done on the
16 facility?

17 MR. SCHICK: Objection. Form.

18 A. I've not seen any sampling of open burning.

19 Q. (By Mr. Nidel) Okay. You did investigate
20 dioxins. Right?

21 A. Yes, we looked at dioxins several times.

22 Q. Okay. And you compared the dioxins that you
23 saw in some limited offsite sampling to dioxins that
24 went -- that were tested coming out of the stack.

1 Correct?

2 A. Yes.

3 Q. Okay. And you didn't see a match in the
4 fingerprint. Right?

5 A. That's correct.

6 Q. Okay. And you made a bunch of arguments that
7 because you didn't see what was coming out of the
8 stack and what you saw in the limited portion of the
9 neighborhood that you were not responsible or the
10 facility was not responsible for the dioxins that you
11 saw in the neighborhood. Correct?

12 MR. SCHICK: Objection. Form.

13 A. We were required by the LSRP to investigate
14 dioxin that may have come from the site. We developed
15 a work plan to sample and we made a determination that
16 the dioxins on -- that were coming from the site were
17 adequately delineated essentially onsite and that the
18 offsite dioxins that were analyzed for and seen at
19 very low concentrations were not consistent with the
20 dioxin fingerprint from the onsite sampling. Based --
21 based on that information, the LSRP was satisfied that
22 we had adequately delineated dioxin.

23 Q. (By Mr. Nidel) What do you know about the
24 emissions from the facility?

1 MR. SCHICK: Objection. Form.

2 A. Specifically what?

3 Q. (By Mr. Nidel) What do you know? How much
4 they were, whether -- how much lead there was, how
5 much copper there was, how much arsenic there was, how
6 much -- what the ratio of arsenic to copper was, how
7 it changed over time. What do you know specifically
8 about those emissions?

9 MR. SCHICK: Objection. Asked and
10 answered.

11 A. Based on our testing that I understand was
12 performed primarily in the '70s and '80s there were
13 emissions from the facility, both stack and fugitive,
14 and those emissions contained varying levels of
15 metals.

16 Q. (By Mr. Nidel) What was the particle size of
17 those emissions?

18 MR. SCHICK: Objection. Form.

19 A. I don't know.

20 MR. NIDEL: Again, what's the objection?

21 MR. SCHICK: It's overly broad. You
22 said what's the particle size of the emissions.

23 MR. NIDEL: Yeah. Well, he's only able
24 to tell me emissions.

1 MR. SCHICK: As if there's one size.

2 Q. (By Mr. Nidel) No. What's the particle size
3 distribution of those emissions?

4 A. I don't know.

5 Q. What's the ratios of metals in those
6 emissions?

7 MR. SCHICK: Asked and answered.

8 A. Again, I don't know.

9 Q. (By Mr. Nidel) How high were the stacks that
10 released those emissions?

11 A. It's my understanding there were a couple of
12 stacks over time. I believe one was a shorter
13 200-foot stack, another one was a taller 400-foot
14 stack. I don't know if there were other -- other
15 stacks historically that may have or may have not
16 existed.

17 Q. Does the height of a stack impact the extent
18 to which you would expect contamination from that
19 stack, the lateral, horizontal extent of
20 contamination?

21 A. I mean, can you be more specific on
22 contamination?

23 Q. Particulate. If a particulate is emitted
24 from a stack, the higher the stack -- does the height

1 of the stack give you, as someone that's in charge of
2 remediation and identification and cleanup and
3 delineation, does it indicate to you what the likely
4 extent of that contamination is?

5 A. In -- in very general terms, a higher stack
6 will distribute the same amount of mass of a
7 contaminant over a broader area but at a much lower
8 concentration.

9 Q. Okay. So emissions from a higher stack will
10 go farther than emissions from a smaller stack.

11 Correct?

12 A. They'll go --

13 MR. SCHICK: Objection. Form.

14 A. They'll go farther but at much lower
15 concentration, depending on the height of the stack.

16 Q. (By Mr. Nidel) Okay. Assuming the same
17 level -- same amount of emission. Correct?

18 A. On a mass basis, yes.

19 Q. Okay. So if the same amount is emitted from
20 a higher stack than a lower stack, the higher stack
21 would emit -- sorry, would contaminate a larger area
22 at a lower concentration, where the smaller stack
23 would contaminate or impact a smaller area at a higher
24 concentration. Is that fair?

1 A. It would -- it would -- yeah, your
2 representation of the relative impact is correct.

3 Q. Okay. And the fugitive emissions that were
4 released from the ground and from stacks and from
5 rooftops -- I'm sorry, stacks -- correct -- stacks
6 of -- stacks of dust or stacks of slag, do you
7 understand that there were -- stacks is not a good
8 word. I will strike the entire question.

9 Area sources. Okay. There were area sources
10 of certain heights. You understand that there were
11 slag piles. How about the word piles?

12 A. I've seen a slag pile before, yes.

13 Q. Okay. And you understand there were slag
14 piles that caused fugitive emissions on the site. Is
15 that fair?

16 MR. SCHICK: Objection. Form.

17 A. Yeah, I -- it's been -- it's been my
18 experience with slag piles that, you know, they're
19 generally, you know, large particle sizes that don't
20 become airborne.

21 Q. (By Mr. Nidel) Okay. Even when you get a
22 front-end loader in there and start moving them
23 around?

24 A. There could be, you know, very localized

1 amounts of dust generated, you know, by that activity.

2 Q. Okay. That gets to my point. So the
3 fugitive emissions that are released from some height,
4 you know, no higher than a rooftop, those would go the
5 shortest distance, correct, compared to the short
6 stack that we talked about and then the tall stack.

7 Is that fair?

8 A. Yeah, I think it's fair to say that generally
9 fugitive emissions travel a much shorter distance than
10 emissions that are directed through a stack.

11 Q. Okay. And your conceptual site model was air
12 deposition. Right?

13 A. That's correct.

14 Q. Okay. Was it air deposition from a stack, a
15 tall stack, a short stack, a medium stack, or a pile
16 or a rooftop?

17 A. I believe the model which was used to support
18 the -- I mean the air model which was used to support
19 the conceptual site model used both stack and fugitive
20 emissions, to my recollection.

21 Q. And what height of stacks did it use?

22 A. I believe there may have been several
23 different models run based on different stack heights,
24 whether it was both the 200 or the 400 stack. I

1 believe that was the case.

2 Q. Did you review the modeling work that was
3 done by Radian in 1986?

4 A. Very generally. It was a pretty long report.

5 Q. But you reviewed it for your deposition.
6 Right?

7 A. Yeah, quite a while ago, but yeah, I did look
8 at it.

9 Q. Okay. Did you review it as part of your work
10 in delineating the extent of contamination in
11 Carteret?

12 A. The work?

13 Q. Yeah. Prior -- other than for your
14 deposition, did you review it for your -- for purposes
15 of your work?

16 A. Not really. You know, we used what's been
17 represented as the McVehil model to support the
18 conceptual site model. But again, what's really
19 informed are ongoing work and the AOC was actual
20 sampling data.

21 Q. Who did the model that supports the
22 conceptual site model?

23 A. Who did the model?

24 Q. Who performed it, yeah?

1 A. I believe it was Mr. -- Dr. McVehil.

2 Q. What -- did you communicate with Dr. McVehil?

3 A. I did not. That was all done prior to my
4 direct involvement as project manager.

5 Q. Okay. Do you know if Michael Leach
6 communicated with Mr. -- or Dr. McVehil?

7 A. I don't know if Mr. Leach did or not.

8 Q. Have you ever reviewed the McVehil modeling
9 work?

10 A. Again, I'm not a -- I'm not a modeler so I
11 really couldn't opine on the actual, you know, guts of
12 the model itself, but I did review the output --
13 output of the model.

14 Q. Okay. Did they provide you with the inputs
15 of the model? And by you I mean USMR again. I
16 understand you may not be a modeler but you were
17 chosen for some reason to testify today so I'm asking
18 you was USMR provided the inputs to the model?

19 A. At some point I'm assuming that USMR was
20 provided with the inputs.

21 MR. NIDEL: Okay. We have not been
22 given those inputs. I would ask for those inputs.

23 Q. (By Mr. Nidel) But you said that you relied
24 on the McVehil model to support or validate the

1 conceptual site model. Correct?

2 A. Yes.

3 Q. Can you identify all the sources of lead from
4 the site, from the facility?

5 A. No.

6 Q. Okay. You can't tell me the cupola, the arc
7 furnace, the reverberatory furnace, the converter, you
8 can't identify the sources of lead from the site?

9 A. No, I didn't -- didn't review historic
10 operations as part of preparing.

11 Q. Okay. I'm not asking you if you reviewed for
12 this deposition, but what you did is you did a bunch
13 of work on delineating the site contaminants and I
14 would think that part of that would be knowing how
15 those contaminants theoretically and in fact
16 historically were released from the site. Is that not
17 part of your investigation as to where those
18 contaminants might have gone?

19 MR. SCHICK: Objection. Asked and
20 answered.

21 A. Yeah, I'm not sure I understand the question.
22 Can you --

23 Q. (By Mr. Nidel) Yeah. Is understanding how
24 pollutants are released from a site and what locations

1 on a site they're released from important to
2 understanding where they may have gone?

3 A. We did a very thorough remedial investigation
4 of the site back in the late '80s and early '90s as a
5 requirement of the DEP and that sampling, as I
6 understand it, used the location of historic
7 operations of the facility to focus the data
8 collection efforts for that remedial investigation,
9 which was reviewed and approved by -- ultimately by
10 the DEP.

11 Q. Okay. What I'm asking about is pollutants
12 that were released from the site, not pollution on the
13 site. Okay. And I'm asking you if as part of your
14 understanding of the vertical and lateral extent of
15 contamination from the site it's important to
16 understand where on the site and how on the site
17 pollutants were released?

18 MR. SCHICK: Objection. Form.

19 A. Help me again with that question.

20 Q. (By Mr. Nidel) Is it important for you in
21 delineating the extent of contamination from a site to
22 understand the source of contaminations --
23 contamination from the site?

24 A. In general terms, yes. That's why we

1 developed, you know, a model to support a conceptual
2 site model which was then used to get actual data to
3 determine what, if any, impacts beyond the site
4 boundaries had occurred.

5 Q. What can you tell me about the source of
6 contaminations from -- contamination from the
7 facility?

8 A. The -- I'm sure Mr. Fenn went through this in
9 a lot more detail than I did, but there was a historic
10 copper smelter in the appurtenant facilities. There
11 was feedstock and revert piles that were all part of
12 the -- part of the smelter complex. The facility
13 generated slag. The facility recovered various metals
14 over time. Is that -- is that what you're -- what
15 you're after?

16 Q. I don't care about what Mr. Fenn told us.
17 Okay?

18 A. Just --

19 Q. What I understand is that one of the three
20 inputs to a conceptual site model, the first one is
21 understanding the source. Okay. Would you agree with
22 that?

23 A. Yes.

24 Q. The source, the pathway and the receptors.

1 Right?

2 A. Yes.

3 Q. Okay. So I want to know what you know about
4 the source because you were responsible for
5 identifying where that conceptual site model puts
6 pollutants from your facility. Right? And I don't
7 think -- this isn't a question about what happened
8 historically, it's a question about what you know
9 about what happened historically because that informs
10 where you think those pollutants went. Right?

11 MR. SCHICK: Objection. Form.

12 Q. (By Mr. Nidel) Right?

13 A. The model that was developed, you know, used
14 as the source the smelter and the fugitive and stack
15 emissions associated with that facility. It was, to
16 my understanding, not detailed to the exact location
17 of all of the, you know, emitting sources, the
18 throughputs. It was used in a generic way, if I can
19 use that word, to demonstrate what the emissions would
20 look like from a facility like that.

21 Q. Okay. How many acres did USMR own, the full
22 extent?

23 A. The entire facilities is 170 acres more or
24 less.

1 Q. Okay. So does it matter where on that site a
2 pollutant is emitted from as to what you might expect
3 it to go in one direction or another?

4 A. That's -- that's one of the things that would
5 go into a model, yes.

6 Q. Okay. So where was the lead plant?

7 A. I don't know with respect to the model how
8 that was incorporated.

9 Q. Okay. I want to be clear, because my
10 understanding of your conceptual site model is that
11 it's a model in terms of a description of how things
12 happen. They happen through air dispersion. Is that
13 fair?

14 A. Yeah, the conceptual site model is an air
15 dispersion -- is an air dispersion pathway.

16 Q. Okay. And you did modeling to support that,
17 but the modeling is not your conceptual site model.
18 Right?

19 A. Correct.

20 Q. Okay. So I understand the model might have
21 been limited in its detail and estimates, but your
22 conceptual site model is based on what your best
23 understanding is of the source at the facility.
24 Right?

1 A. Yes.

2 Q. Okay. So tell me about the source.

3 A. I think I have.

4 Q. I need to know everything you know -- you,
5 USMR, knew about the source when you came up with a
6 conceptual site model that said air deposition and
7 it's going to decrease rapidly when it gets right over
8 the fence line.

9 MR. SCHICK: Objection. Form.

10 A. Again, the model was developed to show what
11 the emissions from the facility in that location would
12 do under, you know, the limited amount of information
13 that was available for stacks and fugitives, the
14 limited amount of meteorological information. It
15 showed that emissions from that source would be
16 deposited fairly close to that source and then that
17 those emissions would tail off fairly rapidly as you
18 moved away from the source. That was the model.

19 We used that model to establish the ISDA and
20 the AOC and, again, recognizing that really the only
21 way to determine is there an impact or is there not an
22 impact is to get real on-the-ground data, not relying
23 on a model. And, again, we are getting that data. If
24 the data shows that the AOC is appropriately sized,

1 great. If the data shows that the AOC needs to be
2 modified, then we'll handle that at the appropriate
3 time. But just -- just putting together a model based
4 on uncertain inputs, even putting together a model
5 based on very well-defined inputs is still that. It's
6 still a model and the only way to validate a model is
7 to go and get physical data.

8 Q. (By Mr. Nidel) Okay.

9 A. And that's what we're doing.

10 Q. I'm not asking you about a model and I'm
11 going to ask, and I'll try to do this, when we're
12 talking about a model -- computer model try to call it
13 air model just because we have a conceptual site model
14 and we have --

15 A. Sure.

16 Q. We may or may not be able to stick on that
17 tract, but I'm going to try if -- I'll ask if you can
18 try because we're both morphing between the two.

19 Right?

20 A. Yes.

21 Q. I'm asking about your conceptual site model
22 and I'm asking you what you know about the source of
23 that facility starting in 1902 that was releasing lead
24 starting in 1902 until it shut down that informed your

1 conceptual site model. And if it's simply that there
2 was a couple of stacks and some of them were 200 and
3 some of them were 400 and they released lead, okay,
4 but I want to know what informed your conceptual site
5 model so that I understand what you're thinking when
6 you're deciding you're only going to test out to
7 Roosevelt Avenue.

8 MR. SCHICK: Objection. Form.

9 A. And I think I've explained what's gone into
10 the model, recognizing that it is just an air model,
11 let's use that. Roosevelt Avenue was the starting
12 point for the outer boundary of the ISDA based on the
13 model outputs that showed that air deposition from the
14 historic smelter operations increased very close to
15 the site, dropped out very close to the site and
16 tailed off very rapidly as you moved generally
17 northward from the site.

18 So Roosevelt Avenue was determined to be a
19 starting point for the ISDA. That was deemed to be an
20 appropriate starting point by the LSRP and we moved
21 forward from there.

22 Q. Okay.

23 MR. NIDEL: Let's take a break. Maybe
24 we can -- go off the record.

1 THE VIDEOGRAPHER: We are off the
2 record. It's 11:44. It's the tend of Tape 2.

3 (Break.)

4 THE VIDEOGRAPHER: Okay. We are back on
5 the record. It's 11:58 p.m. -- a.m. and it's the
6 beginning of Tape 3.

7 Q. (By Mr. Nidel) We've been talking a lot
8 about the source that you used for your conceptual
9 site model, and what I need to understand is -- and
10 you can tell me in broad terms and then specific
11 terms, but if you don't -- you know, depending on how
12 much you know, but I need to know what USMR knew about
13 the source of contaminants on the facility in
14 developing and assessing your conceptual site model.

15 A. We -- it was our opinion that air emissions
16 were the source of the -- of any impacts from the
17 smelter. Air emissions would then, you know,
18 naturally lend themselves to a conceptual site model,
19 which, you know, indicated that air emissions would be
20 the conceptual site model.

21 The air quality -- or sorry, the air model
22 was developed, again, you know, using fairly limited
23 information, but, you know, where the site was
24 located, you know, whatever historic information on,

1 you know, stack heights that was available. The model
2 indicated that pursuant to a conceptual site model of
3 air deposition, those emissions from the facility
4 would tend to drop off fairly quickly as you moved
5 away from the source and then, you know, rapidly would
6 reach -- would decline exponentially with distance
7 from the source.

8 So that conceptual site model, as well as the
9 air emissions model, informed the general location of
10 what was prescribed to be the ISDA. And again, the
11 model was just a tool to develop that first ISDA and
12 the sampling program conducted pursuant to that to
13 determine if the conceptual site model was accurate.
14 And based on the ISDA sampling, it was determined and
15 approved by the LSRP that that was an appropriate
16 sampling exercise that supported the conceptual site
17 model and that could be used to -- to prescribe what
18 the AOC would be and the more detailed sampling and
19 remediation program that's currently underway within
20 the AOC.

21 Q. Okay. Just so I understand, so you cannot
22 tell me what the point source emissions were or
23 where -- what the point sources for emissions were at
24 the facility?

1 A. The point source -- the point sources were
2 the stacks by definition. That's generally what a
3 point source is.

4 Q. Okay. Can you tell me how many stacks there
5 were at any given time or how tall they were?

6 A. I don't know that number. I don't know when
7 they were constructed or how tall they all were. It's
8 just my understanding that there were multiple stacks
9 at the site that were used for different periods
10 throughout the operation of the facility.

11 Q. Can you tell me what the stack height was,
12 for example, on the converter or on the cupola?

13 A. I believe that the converter stack was the
14 tall stack, which would have been the 400-foot stack.
15 I believe the cupola stack was the shorter stack,
16 which was 220 feet. I mean, that number is sticking
17 in my head for some reason. But I think those were
18 the large stacks at the site.

19 Q. Okay. And that's the extent of what you know
20 about the point source emissions on the site. Is that
21 fair?

22 A. I think that's fair.

23 Q. Okay. Do you know what the volume or weight
24 amount of lead or any other pollutant was released

1 from the site?

2 A. No.

3 Q. Okay. And you don't know what the ratio of
4 any point source or fugitive source of dust was, the
5 ratio of any of the metals in there?

6 A. No.

7 Q. Okay. You said in your answer here a second
8 ago, you said the model was a tool and I think you
9 meant the air model, just per our --

10 A. The air model, correct.

11 Q. Okay. The air model was a tool to confirm
12 the conceptual site model. I just wanted to be clear
13 on that.

14 And then you said that the sampling then
15 supported your conceptual site model, and was that in
16 that it supported -- well, was the conceptual site
17 model that it was the pollutants were carried by air
18 deposition and that they were deposited in close
19 proximity to the site or to the facility?

20 A. Say that again, please.

21 Q. Is the conceptual site model that the pathway
22 was air deposition and that it was -- that air
23 deposition deposited contaminants in close proximity
24 to the facility with a rapid decrease in the amounts

1 as you get farther from the site?

2 A. That's -- that's the key -- the key point
3 because the sampling under Phase 1 that was done as
4 part of the ISDA was done in the residential areas of
5 Carteret and the -- I think I've gone through this
6 before, but for purposes of the ISDA sampling we
7 established three sampling arcs at increasing distance
8 from the historic smelter. Sampling was done on
9 numerous properties within each of those three arcs
10 and when we looked at the data that we obtained from
11 that sampling, it showed a consistent decrease in
12 concentrations of constituents from the closest arc
13 outwards towards the most distant arc.

14 Q. Okay. So I want to take those things piece
15 by piece. So the conceptual -- the ISDA sampling
16 confirmed the conceptual site model of a proximate
17 deposition and a rapid decrease. Is that true?

18 A. The sampling confirmed that.

19 Q. Okay. And that confirmation comes from the
20 use of concentric zones and sampling within those
21 zones and then comparison of the results of the
22 sampling within those zones. Is that correct?

23 A. That's correct.

24 Q. And the sampling that was done in those zones

1 was part of the ISDA. Correct?

2 A. Yes.

3 Q. Okay. And the sampling in those zones was
4 the Series 60 sampling and there were 60 samples
5 taken, 20 within each zone. Is that correct?

6 A. More or less, yeah.

7 Q. Okay. Was the later sampling that was done
8 as part of Phase 2 considered as part of your
9 confirmation or -- of the conceptual site model?

10 A. Say that again, please.

11 Q. Yes. Did you go back and reanalyze those
12 zones with the additional sampling that was done?

13 A. I don't believe we did any additional data
14 refinement beyond that. The sampling in Phase 2, the
15 AOC sampling was much more detailed and was really to
16 determine the -- essentially the horizontal and
17 vertical extent of impacts on each particular property
18 down to whatever depth those impacts were still
19 present.

20 Q. Okay. How were the zones chosen? I
21 understand there were concentric arcs. How were they
22 chosen?

23 A. With Roosevelt Avenue considered generally a
24 northern bound for the initial look at the ISDA, the

1 three arcs were generally equal -- equal width, if you
2 will, radiating outward towards Roosevelt Avenue from
3 the source.

4 Q. Okay. When were those arcs -- when was the
5 location of those zones determined?

6 A. Umm.

7 Q. What was the date that you decided well,
8 we're going to have an arc at Roosevelt, then we're
9 going to have one at equal -- when was that done?

10 A. That was probably in -- sometime in 2013 when
11 that, you know, kind of sampling strategy was
12 developed and then discussed with and approved by the
13 LSRP.

14 Q. Have you preserved the samples that were sent
15 to the labs?

16 A. I believe the samples have been preserved in
17 some fashion. I'm not sure how much is left of each
18 particular sample, but I know we were preserving
19 samples or the lab was preserving samples for us.

20 Q. Do you know -- what do you know about the
21 baghouse dust piles that were on the facility, if
22 anything?

23 A. I don't know anything about the baghouse dust
24 piles.

1 Q. Do you know if they were ever tested for
2 dioxins?

3 MR. SCHICK: Objection. Form.

4 A. I don't know.

5 Q. (By Mr. Nidel) Do you know what the particle
6 size of the dust was in those -- in that baghouse
7 dust?

8 MR. SCHICK: Objection. Form.

9 A. No, I don't.

10 Q. (By Mr. Nidel) Can you explain to me, you
11 probably have done it at least in pieces, but USMR's
12 conclusions regarding the extent of lead that emanated
13 from its operations? What conclusions have you
14 reached about the extent of lead from the facility?

15 A. It's our opinion that there may be lead from
16 the facility that went beyond the boundaries of the
17 facility, but likely not in a concentration that would
18 exceed residential cleanup standards solely
19 attributable to USMR's operations.

20 Q. Okay. And you said maybe, but did lead from
21 USMR's facility go into Carteret?

22 A. Yes, I think, you know, some amount of lead
23 from USMR's facility went beyond the boundaries of the
24 facility.

1 Q. Okay. And some of that went into Carteret.

2 Correct?

3 A. Assuming that the boundary of the facility's
4 contiguous with the boundary of Carteret that would be
5 true.

6 Q. The reason -- I wasn't trying to be smart.
7 The reason I asked is because there are other
8 boundaries, for example, at the Arthur Kill. So my
9 question is did it go into Carteret?

10 A. I thought we were talking about Carteret, so
11 yes.

12 Q. And did arsenic from the site go into
13 Carteret?

14 A. Similarly it's possible that some arsenic
15 from the facility went beyond the facility boundaries.

16 Q. Okay. Did arsenic from the facility go into
17 Carteret?

18 A. It's possible.

19 Q. Is it possible? I mean --

20 A. It's --

21 Q. -- if your answer is it's possible, that's
22 fine, but I need to be clear. I'm asking did it go
23 there?

24 A. It's possible.

1 Q. You don't -- you don't believe that it in
2 fact went there. Is that your testimony?

3 A. You asked if it was possible. I said --

4 Q. No, no -- well, if I did ask if it was
5 possible, then I'm -- I'm --

6 A. Okay.

7 Q. I need more Mountain Dew. My question is:
8 Did it go into Carteret, arsenic, from the facility?

9 MR. SCHICK: Objection -- objection.
10 Form.

11 A. Similar to lead it's possible that arsenic
12 from the facility went into Carteret.

13 Q. (By Mr. Nidel) Okay. Did dioxin from the
14 facility go into Carteret?

15 MR. SCHICK: Objection. Form.

16 A. We believe that, you know, we have delineated
17 dioxin impacts from the facility very close to the
18 Carteret -- boundary of the Borough of Carteret.
19 Again, is it possible that, you know, one molecule of
20 dioxin from the facility went into Carteret, yes.

21 Q. (By Mr. Nidel) Okay. Did -- I'm not asking
22 about possible. I just -- I want to make sure I don't
23 use that word. Did dioxin from the facility go into
24 Carteret?

1 MR. SCHICK: Objection. Form.

2 A. I think I just answered that.

3 Q. (By Mr. Nidel) You can't answer that with a
4 yes or no?

5 A. I just answered it with -- I said is it
6 possible that one molecule of dioxin may have gotten
7 from the facility into Carteret and yes, it's
8 possible.

9 Q. Okay. So my question right now is not -- I'm
10 not trying to force you to answer that with a yes or
11 no. My question is can you answer the question did
12 dioxin go from the facility into Carteret with a yes
13 or a no?

14 A. Is it possible that a molecule of dioxin from
15 the facility went into Carteret, yes.

16 Q. Okay. My question is not is it possible. My
17 question is did dioxin from the facility go into
18 Carteret?

19 MR. SCHICK: Objection. Form.

20 A. We've not made any attempt to attribute
21 dioxin which may be in Carteret to the facility beyond
22 the delineation that we've already done. Is it
23 possible that a molecule of dioxin from the facility
24 went into Carteret, yes, it is possible.

1 Q. (By Mr. Nidel) Okay. What are the
2 background levels of arsenic in industrialized areas
3 of New Jersey?

4 A. I don't know off the top of my head, but I
5 would project it's something probably fairly close to
6 15 parts per million. I'm just -- I'm just guessing.

7 Q. Okay. Did you review as part of your work on
8 the cleanup what the background levels were of the
9 metals in --

10 A. I don't recall reviewing that specifically
11 with respect to arsenic, but I believe I reviewed some
12 information that indicated that the background level
13 of lead in that general area of New Jersey was on the
14 order of 290 parts per million.

15 Q. What was that -- where did you get that
16 information from?

17 A. I think it was maybe a USGS report or
18 something that came out of the DEP. I don't recall
19 exactly. That's just a number that I recall seeing.

20 Q. Do you recall discussing background levels or
21 comparison to background levels with your consultants?

22 A. No.

23 (Exhibit No. 51 marked.)

24 Q. I hand you Exhibit 51. Exhibit 51 is a

1 document produced by U.S. Metals Bates labeled 65062
2 and it's a summary of selected soil constituents and
3 contaminants at background locations in New Jersey.

4 Is that fair?

5 A. That's the title, yes.

6 Q. Okay. As part of your work on the site, did
7 you review these background levels?

8 A. I don't recall personally reviewing this. It
9 was possibly done during Mr. Leach's tenure as project
10 manager.

11 Q. Okay. Again, did U.S. Metals review this?

12 A. I don't know whether Mr. Leach reviewed them
13 so I can't say for sure whether USMR reviewed them.

14 Q. Do you know if U.S. Metals was aware what the
15 background levels were for these metal contaminants in
16 New Jersey?

17 A. Say that again, please.

18 Q. Do you know if U.S. Metals was aware what the
19 background levels of these metals were in New Jersey?

20 A. I don't know.

21 Q. What was the lab QC issue that arose? Was
22 there a quality control issue?

23 MR. SCHICK: Objection. Form.

24 Q. (By Mr. Nidel) Do you recall a lab quality

1 control issue coming up where there was some question
2 about the validity of sample results?

3 A. As I recall early on in the AOC sampling,
4 there was observed a difference between the sample --
5 the reported sample number with respect to the lab
6 duplicates that were being performed and it was
7 identified that there might be some issues with the
8 lab on data validity. So we essentially worked with
9 the lab. We looked at two different methods of doing
10 the sampling and a -- I'm sorry -- doing the analysis
11 and identified that there really was not a problem
12 with the data validity.

13 Q. So there was some QC issue that you can
14 recall but that ended up being investigated and
15 revolved or there was not an issue?

16 A. That's my understanding.

17 Q. If you turn to Page 20 in Exhibit 51 there is
18 data on the background of arsenic. I understand you
19 said that you thought -- you thought it might be as
20 high as 15. Does that clarify what the background of
21 arsenic is in New Jersey for you?

22 A. Yeah, it -- I mean, which -- which number do
23 you want me to refer to?

24 Q. It's not 15. Correct?

1 A. No. It's -- for the arithmetic mean, well,
2 for urban areas is 8.3 and the 90th percentile is just
3 under 11.

4 Q. Okay. Who were the community ambassadors?

5 A. The community ambassadors were two ladies
6 that were retained. One of the goals of our AOC Stage
7 2 program was to get approval from each of the
8 individual property owners to allow us to get onto
9 their property and, you know, do the sampling and, if
10 it were necessary, remediation. We were using Arcadis
11 to make contact with the various residents to solicit
12 their approval. Arcadis did a great job, but there
13 was, as was really expected, people in the community
14 that weren't exactly sure, you know, what's going on,
15 why are we doing this. So we retained two ladies who
16 were very well-known in the community to assist
17 Arcadis with reaching out to those residents that had
18 not yet given us approval to sample their properties
19 in an attempt to get that approval, the theory being
20 if they see a familiar face that they know and trust
21 that they'll be more likely to understand what we're
22 doing and to give us access.

23 And these two ladies proved to be amazingly
24 effective. We were -- you know, at that time when we

1 started the ambassador program -- please don't quote
2 me on the exact numbers, but kind of log-jammed at
3 120, 140 approvals and very quickly they were able to
4 get 60, 70, 80 additional approvals which allowed us
5 to continue our sampling program. They did great.

6 Q. Okay. Were they paid?

7 A. I believe we did pay them for their time.

8 Q. And how much did you pay them? Do you know?

9 A. I can't remember. It was a fairly nominal
10 amount.

11 Q. And what would you call -- I mean, can you
12 tell me what you paid them?

13 A. I can't remember exactly.

14 Q. Okay. So you indicated that there maybe were
15 some trust or other issues. Was it important to use
16 these ambassadors to gain the trust of the community?

17 A. It was an effective use of the ambassadors to
18 get permission to sample properties that we had
19 otherwise not been able to get approval from the
20 property owners for. So did I -- did that answer your
21 question?

22 Q. I thought I heard you saying it was a trust
23 issue and that doesn't seem like you like that word,
24 so --

1 A. Well, I mean, this -- you know, you're a
2 citizen, you know, you're getting a letter out of the
3 blue saying, you know, this is -- this program is
4 ongoing, we want to come onto your property.
5 Certainly some people are going to meet that letter
6 or, you know, follow up face-to-face contacts with
7 some skepticism. And this is a small community and,
8 you know, they really appreciate a familiar face
9 rather than, you know, some guy from Phoenix or some
10 consultant out of -- you know, even some consultant
11 out of New Jersey. They want to see a familiar face
12 to explain, you know, what's going on.

13 Q. Was it important in that situation to be
14 honest with them?

15 A. We've always been honest with the residents
16 in my opinion.

17 Q. Okay. And you sent them letters. Correct?

18 A. We had various means to try to reach out to
19 them, letters, Arcadis prior to the use of the
20 ambassadors' conducted door-to-door campaigns. You
21 know, the ambassadors are no longer working for us but
22 Arcadis continues to do door-to-door solicitations.
23 We held an open house. There's been all different
24 kinds of ways to get the public to participate in the

1 program.

2 Q. And it's important to give them honest
3 information when you hold things like an open house
4 and when you send them letters. Correct?

5 A. Yes.

6 Q. Okay. Who paid the ambassadors?

7 A. I believe they were retained through Arcadis
8 and then Arcadis, in turn, billed USMR.

9 Q. Did Arcadis bill USMR or Freeport Minerals or
10 someone else?

11 A. The Arcadis service order is with USMR.

12 Q. Arcadis's contract is with Freeport Minerals.
13 Correct?

14 A. The service order is issued through USMR.
15 Arcadis has a master agreement with Freeport for a
16 variety of sites, but their work under this project is
17 specific to USMR.

18 Q. What level of arsenic is safe for gardening?

19 MR. SCHICK: Objection. Form. Beyond
20 the scope.

21 A. I don't know.

22 Q. (By Mr. Nidel) Okay. Do you know what level
23 of lead is safe for gardening?

24 MR. SCHICK: Same objection.

1 A. I don't know.

2 Q. (By Mr. Nidel) Do you know what level of
3 dioxin is safe for gardening?

4 MR. SCHICK: Same.

5 A. I don't know.

6 Q. (By Mr. Nidel) Why did -- why did you
7 initiate the testing in the neighborhood, the whole
8 project?

9 A. Which testing? Are you talking about the
10 original ISDA or --

11 Q. Phase 1. Why did you start going into the
12 neighborhood and doing testing?

13 A. We received a letter from the DEP indicating
14 that they felt the company needed to delineate offsite
15 impacts from its facility and suggested that we retain
16 the use of the services of an LSRP to work towards
17 that goal.

18 Q. And what year was that letter?

19 A. I believe it was received at the end of 2012.

20 Q. And was that after a USA Today article that
21 talked about the history of the site?

22 A. It was around the same time. I can't
23 remember which preceded which.

24 Q. Did you provide residents --

1 A. I'm sorry. That letter I believe was at the
2 end of 2011, not 2012.

3 Q. Okay. And would that -- would your answer as
4 to the USA Today coverage be the same, it was around
5 the same time?

6 A. Yes.

7 Q. Were -- did you provide residents with the
8 results of your testing?

9 A. Yes.

10 Q. You provided them the sample results?

11 A. We provided them with the results of the
12 sampling, yes.

13 Q. Were properties remediated that had levels
14 that were determined to be above the cleanup standard?

15 A. There are properties within the AOC that are
16 above the cleanup standard and cleanup of those
17 properties is underway. Some properties have been
18 completed, others are in progress, and others are
19 pending.

20 Q. Okay. I said determine. I just want to -- I
21 want to change my question a little bit. I don't know
22 if it makes a difference to you, but were properties
23 remediated that sampling showed levels above the
24 cleanup standard?

1 A. Didn't I just answer that?

2 Q. I think you might have, but I want to make
3 sure that you did. So were sample -- were properties
4 remediated or slated to be remediated that had sample
5 results above the cleanup standard?

6 A. Yes, that's what determines whether a
7 property is cleaned up or not.

8 Q. Are there any properties that were sampled
9 above the cleanup standard that you determined that
10 you were not going to remediate?

11 A. Properties that exceeded the cleanup
12 standards that we've determined are not going to be
13 cleaned up?

14 Q. Yes.

15 A. Not to my knowledge.

16 Q. Okay. What is required by a remedial
17 investigation? Does a remedial investigation require
18 that you fully delineate the extent of contaminants?
19 Is that part of a remedial investigation?

20 A. Under the New Jersey regulations, that is the
21 purpose of a remedial investigation.

22 Q. Okay. And that includes both onsite and
23 offsite contaminants. Correct?

24 A. Yes.

1 Q. You reviewed the development history of the
2 Carteret neighborhood when you -- as part of your
3 investigation into offsite contamination. Correct?

4 A. The development history, you know, like
5 how -- how the -- how the borough evolved over time?

6 Q. Aerial photos, Sanborn maps?

7 A. That was part of our review process, yes.

8 Q. The modeling that was done, air modeling that
9 was done, in support of or in furtherance of the
10 conceptual site model, did it attempt to replicate or
11 model any certain time frame at the plant or was it --
12 did it -- in terms -- do you understand what I'm
13 saying? Was it trying to model 1986 or a period from
14 '80 to '86 or '02 to '86?

15 A. I don't -- I don't think the model is that
16 granular.

17 Q. What -- did your review of the developmental
18 history of the area that we just talked about, aerial
19 photos, Sanborn maps, was there anything else involved
20 in that? Like you talked about fill maps or other
21 things. Was there anything other than aerial photos
22 and Sanborn maps that you used to inform your
23 knowledge about when an area or a neighborhood was
24 developed or redeveloped or changed in use?

1 A. I think those were the two things that were
2 used to kind of understand how Carteret evolved over
3 time.

4 Q. Okay. Did that review lead to determining
5 that any areas were specifically not representative
6 of -- for sampling purposes, so for purposes of
7 conceptual site model or ISDA that you looked and you
8 saw oh, there used to be a factory here so we don't
9 want to use that or some other use so we don't want to
10 sample there because that may have some factual
11 explanation for a difference in results?

12 A. I'm not aware that we excluded any properties
13 within the AOC from sampling for any reason. If the
14 property is within the AOC, it's sampled, vertical
15 delineation is determined, remediation is done where
16 appropriate and where necessary based on the
17 concentrations that we're seeing.

18 Your comment on maybe historic use of a
19 particular property for one thing or another may
20 inform this ongoing investigation on the
21 appropriateness of the boundary. If we know that, you
22 know, for instance, lead in a particular area came
23 from a lead paint factory -- I'm just using that as an
24 example -- and, you know, that's another data point

1 that will be useful in our ongoing analysis.

2 Q. Okay. For purposes -- I know there was
3 different phases of sampling, but for purposes of that
4 ISDA work that confirmed the rapid -- the proximate
5 deposition and the rapid decline, were there any
6 properties that were excluded or included because they
7 were representative or not representative of what you
8 would expect from the history of deposition in the
9 neighborhood?

10 A. I don't believe that any properties were
11 excluded for that reason. I think we moved some
12 sample locations around. We originally had a plan
13 where, you know, we wanted to sample here, here, here,
14 here and here but sampling in those locations was
15 obviously contingent on getting property owner
16 approval to do that. So in some cases we weren't able
17 to get property owner approval so we went to the next
18 property. So there was some movement of the sample
19 locations, but it was more based on our ability to
20 access the property.

21 Q. Okay. So you didn't look at the
22 developmental history and then decide we want to
23 sample or don't want to sample in a certain area. Is
24 that correct?

1 A. That's correct.

2 Q. Okay. And then was there any other
3 exclusions or inclusions that you did based on
4 statistics so there was some discussions of outliers?
5 Were there samples that were rejected or metals
6 results that were rejected based on them being
7 outliers for some reason?

8 A. I don't believe in the ISDA that any were
9 rejected.

10 Q. Okay. And -- well, let me -- I will ask you
11 with respect to the ISDA because that's how you
12 answered it. But was there any -- were there any
13 samples that were rejected from the ISDA because they
14 were statistically different than the other samples?

15 A. Not to my knowledge.

16 Q. Okay. Were there any samples, now I'm
17 talking more broadly, that were rejected for their
18 statistical deviation from the rest of the crowd?

19 A. Are you talking now within the greater AOC
20 area?

21 Q. Greater area. All the sampling you've done.

22 A. The short answer is yes, as part of our
23 sampling and analysis program we utilized the services
24 of a statistician and that's part of our approved

1 remedial action work plan. That statistician -- and
2 please keep in mind that under the AOC we are
3 generating orders of magnitude more data on, you know,
4 the site-wide basis than we did under the ISDA.

5 The statistician looks at the data that's
6 obtained for -- and I don't want to get too much in
7 the weeds here, but I will for now. For each
8 particular use area that's established there are ten
9 samples obtained at each 6-inch interval going
10 downward until delineation is achieved. The
11 statistician will look at all of those ten samples for
12 a particular 6-inch depth interval and using his --
13 well, using EPA methodology and EPA tools on
14 calculating, you know, 90 percent upward confidence
15 limit numbers, looking at the data and determining,
16 okay, for this particular data set of ten is one of
17 these an outlier. The statistician can and has tossed
18 a data point out as being an outlier, potentially
19 either an outlier on the high side or on the low side.
20 But that's -- that's a determination that the
21 statistician makes independent of USMR and anybody
22 else.

23 Q. And who is the statistician?

24 A. I don't know his name actually, but it's an

1 Arcadis employee.

2 Q. Okay. And it's your testimony that they do
3 this both on the high side and the low side?

4 A. As far as I know they've got the ability to
5 do that both on the high and the low side.

6 Q. Have you ever seen it done on the low side in
7 this data set?

8 A. Not to my knowledge. I don't -- I don't
9 recall any on the low side being dropped, but I have
10 no reason to believe that there -- you know, that
11 there haven't been.

12 Q. But you have not seen any. Correct?

13 A. Correct.

14 Q. Okay. And you have seen them being dropped
15 at the high side. Correct?

16 A. It's my understanding that that's true, but
17 it's very rare. I mean, generally the data sets are
18 statistically valid, I guess.

19 Q. Okay. And your own conceptual site model
20 assumes that despite air deposition, that there would
21 be exchange of soils, there could be development,
22 there could be gardening, there could be landscaping,
23 there could be a lot of things that would create
24 inconsistencies from one sample to the next. Correct,

1 that's part of your site model?

2 A. Say that again, please.

3 Q. Your site model, after saying that it's air
4 deposition and that rapidly decreases, goes on to say
5 that there would be some expectation that the levels
6 of metals may vary from sample to sample because of
7 the historical use of the soil, the potential use of
8 fill, the potential use of landscaping and mulch and
9 other soils in these areas so that you wouldn't expect
10 a clean profile from sample to sample. Correct?

11 A. Some variability across a particular use area
12 is not unexpected.

13 Q. Okay. And there's nothing in the history
14 that you know of the source that would tell you that
15 you would expect some normal or log-normal or Gaussian
16 distribution of metals in these soils, is there?

17 A. Say that again.

18 Q. Okay. What basis do you have to expect that
19 the distribution of metals in any one property's soil
20 would fit any statistical distribution?

21 A. Well, I mean, under, you know, the conceptual
22 site model and, you know, over -- over many decades of
23 operation of the facility you would expect there to
24 be, you know, this general decrease of constituents as

1 you moved away from the site. I'm not sure I answered
2 that question but. . .

3 Q. You didn't because I'm talking about on a
4 specific piece of property how is it you can throw one
5 sample away? How do you know that's not sitting on
6 the drip line and picked up lead or sitting next to a
7 fence and picked up lead or sitting where somebody
8 decided to put a garden in and they found that their
9 neighbor who had a lot of lead had good soil so they
10 grabbed some soil from their neighbor and put it in
11 their garden?

12 MR. SCHICK: Objection. Form.

13 Q. (By Mr. Nidel) How do you know you can get
14 rid of any sample?

15 MR. SCHICK: Objection. Form. Scope.

16 A. It's a determination made by the
17 statistician.

18 Q. (By Mr. Nidel) But you as manager of this
19 project have allowed that statistician to make that
20 determination. Correct?

21 A. The statistician is working consistent with
22 the remedial action work plan which has been approved
23 by the LSRP. So yes, the statistician has that
24 authority to independently make a determination that a

1 particular sample in a yard area is an outlier.

2 Q. And you, U.S. Metals, gave him that authority
3 by asking the LSRP to agree to that. Correct?

4 MR. SCHICK: Objection. Form.

5 A. USMR developed a work plan which was
6 subsequently approved by the LSRP, yes.

7 Q. (By Mr. Nidel) Okay. The LSRP that works
8 for ELM who you're also paying ELM millions of dollars
9 to do other work for you. Correct?

10 MR. SCHICK: Objection. Form.

11 A. I think I've already said the LSRP works for
12 ELM.

13 Q. (By Mr. Nidel) Okay. How does the ISDA
14 differ from the AOC? We're going to eat in a sec, but
15 I just want to. . .

16 A. Oh, okay. Generally it really doesn't differ
17 very much. There was, I believe, a small area of the
18 ISDA that was not included in the AOC because of the
19 sampling results that were obtained and I believe that
20 was on the northwestmost portion of the ISDA. And
21 there was another portion along the northeast part of
22 the ISDA that was expanded slightly due to some
23 concentrations that were in that area. So it
24 generally stayed the same configuration, it just got

1 sort of re-jiggered a little bit.

2 Q. So the data from the ISDA was used to I think
3 your word was morph into the AOC, or I think that was
4 your word earlier. But data from the ISDA
5 investigation was used to define, with the two
6 changes, the AOC boundary. Correct?

7 A. Yes.

8 Q. Okay. And the modeling that you've talked
9 about -- air modeling that you've talked about,
10 that -- was that used to define the AOC or was that
11 used to define the ISDA?

12 A. That was used as part of the ISDA
13 determination.

14 Q. Okay. And then the sample data from the ISDA
15 was used to define the boundaries of the AOC?

16 A. Yes.

17 Q. Okay. Who is Dr. McDaniel?

18 A. Uh. . .

19 Q. Mary McDaniel.

20 A. Yes. Dr. McDaniel is a -- how -- best for
21 her is sort of a -- she's a physician that specializes
22 in communicating health risks to individuals.

23 Q. And you were using her in the community to
24 answer questions about cancer risks and other health

1 concerns. Correct?

2 MR. SCHICK: Objection. Form.

3 A. To the extent that members of the public had
4 questions about what was being done as part of the
5 implementation of the soil program, USMR, we are not,
6 you know, doctors or toxicologists but we retained the
7 services of Dr. McDaniel to provide information to
8 residents upon their request.

9 Q. (By Mr. Nidel) Was she paid by anyone that
10 you know of?

11 A. Yes, I believe she was paid by USMR.

12 Q. Okay. I might have missed it. Who does
13 Dr. McDaniel work for?

14 A. She has her own firm. I can't remember what
15 the firm is. She's based out of somewhere in -- along
16 the West Coast in southern California.

17 Q. Okay. And she -- but she is a consultant or
18 a paid --

19 A. I would -- I would call her a consultant.

20 Q. Okay. And USMR made her available to the
21 community if they had questions like this?

22 A. If they had questions.

23 Q. Okay. And one last little question: What
24 metals are the best indicators of impacts from the

1 facility?

2 MR. SCHICK: Objection. Form.

3 A. You know, in my opinion, the best indicator
4 of metals from a copper smelter is copper.

5 MR. NIDEL: Okay. Let's eat.

6 THE VIDEOGRAPHER: We are off the
7 record. It is 12:45. It's the end of Tape 3.

8 (Lunch recess.)

9 THE VIDEOGRAPHER: Okay. We are back on
10 the record. It's 1:40 p.m. and it's the beginning of
11 Tape 4.

12 MR. NIDEL: I hope everybody got
13 something to eat. I'm waiting for the fingers to be
14 on the right spot.

15 Q. (By Mr. Nidel) We were talking earlier about
16 the process and I just wanted to understand the
17 process a little bit better. So you identified the
18 ISDA based on your conceptual site model. Correct?

19 A. Yeah, based on the conceptual site model and
20 the air -- air model.

21 Q. Okay. And the air model helped to confirm
22 the conceptual site model which defined the ISDA. The
23 sampling from the ISDA then was used to adjust the
24 boundaries to form the AOC. Is that fair?

1 A. Say that again because I think you might have
2 gotten one thing backwards.

3 Q. The sampling in the ISDA was used to adjust
4 the boundaries in small degrees to form what then
5 became the AOC?

6 A. Yes.

7 Q. Okay. And then within the AOC you treated
8 every property the same with respect to sampling and
9 remediation. Is that true?

10 A. The sampling approach on each property is the
11 same. It's prescribed in the remedial action work
12 plan. So that much is consistent from property to
13 property. As far as remediation goes, it's different
14 from property to property. Some properties don't
15 require any remediation and other properties where
16 remediation is required, that remediation is done to
17 varying depths based on the vertical delineation work
18 and the individual sample concentrations.

19 Q. Okay. I understand that the remediation that
20 was done, if any, was determined by the sample
21 results, but as far as how those properties were
22 treated and what the standards for remediation were or
23 not remediation, that approach was the same across the
24 AOC. Correct?

1 A. We used a consistent methodology at each
2 property to determine whether or not remediation was
3 required or not.

4 Q. And then to do the remediation you used the
5 same standards and methodologies albeit the
6 application of those may have been different based on
7 the results?

8 A. Correct. The remediation methodology is
9 generally removal of the impacted soils and
10 replacement with clean fill. So, you know, in a very
11 general way that's the remediation approach, well,
12 followed by restoration, of course, but then, yeah,
13 you're correct that the depth of excavation, the
14 lateral extent of remediation is different from
15 property to property based on the property-specific
16 sampling results.

17 Q. Okay. And your vertical delineation, you
18 actually delineated down to a result that was below
19 the residential cleanup standard. Correct?

20 A. Yes, the New Jersey tech regs require that
21 vertical delineation be conducted until it's,
22 quote/unquote, clean.

23 Q. Okay. And clean in that context is being
24 defined as below the cleanup standard. Correct?

1 A. That's correct.

2 Q. Okay.

3 A. For any particular constituent.

4 Q. Right. For the constituents that you were
5 looking for, why did you not do that on the horizontal
6 delineation?

7 MR. SCHICK: Objection. Form.

8 A. Yeah, I'm not understanding.

9 Q. (By Mr. Nidel) Well, at the boundaries of
10 the AOC you're still receiving -- getting sample
11 results that are above the -- that are, quote/unquote,
12 not clean. Is that fair?

13 A. Near the -- near the boundary there are still
14 samples that exceed the cleanup standard.

15 Q. Okay. So why are you not continuing to go
16 further and further from the source to delineate that
17 back to a clean -- a, quote/unquote, clean hit?

18 A. We haven't yet made a determination that
19 those levels near the boundary that exceed standards
20 are attributable to our operations. That's something
21 that's part of the boundary evaluation study that's
22 ongoing. But certainly should the boundary be
23 determined to need to be expanded, then we would go
24 further and do additional sampling on a

1 property-by-property basis beyond into whatever the
2 revised AOC boundary is determined by the LSRP to be.

3 Q. Okay. Is there a name for the boundary
4 evaluation study?

5 A. No. I don't think it's got an official -- I
6 mean, boundary evaluation study is probably what it's
7 going to be called once it's all prepared and
8 submitted to the LSRP.

9 Q. Is there a plan for the boundary evaluation
10 that's been submitted?

11 A. There hasn't -- the detailed study has not
12 yet been submitted. I think I testified early this
13 morning that we hope to have that information to the
14 LSRP probably sometime later this summer.

15 Q. Okay. Is that going to be based primarily on
16 the data from the transects?

17 A. It will be based on data from both the
18 transects and within the -- within the AOC.

19 Q. Okay. I had asked you earlier about this,
20 but I just want to make sure I ask about all of these
21 issues. In your sampling plans you avoided drip lines
22 for lead paint. Correct?

23 A. We attempted to avoid drip lines to the
24 extent that we could.

1 Q. You avoided wooded -- pressure-treated wood
2 such as fenced areas and sheds. Correct?

3 A. I believe so, yes.

4 Q. Okay. You avoided known or identified
5 dumping areas. Correct?

6 A. I believe so.

7 Q. Okay. Do you agree that although air
8 deposition may initially deposit these metals in a
9 relatively uniform pattern, the cumulative localized
10 disturbances -- although air deposition may initially
11 deposit these metals in a relatively uniform pattern,
12 the cumulative localized disturbance such as
13 excavations, grading, landscaping and wind erosion, of
14 soil at any given location that can occur in this case
15 over a period of 80 years can redistribute these
16 metals and result in localized variances in soil metal
17 concentrations?

18 A. I would -- I would agree that disturbances to
19 the soil with any -- within any particular yard area
20 can cause different distribution of the constituents
21 contained in that soil.

22 Q. But your conceptual site model essentially
23 was based on a blanketing of decreasing deposition
24 across the neighborhood. Correct?

1 A. That's the conceptual site model, yes.

2 Q. Who is Brian Pederson or Pederson?

3 A. Brian Pederson?

4 Q. And I just read documents. I don't know who
5 he is.

6 A. I don't know.

7 Q. Was he an early LSRP involved in the work?

8 A. Not to my knowledge. The only LSRP that
9 we've retained on this site is Mr. McNally.

10 Q. How soon after you received the results of
11 the lab testing -- well, let me start. You submitted
12 the samples to the lab. What was the turnaround time
13 for the samples that you submitted?

14 A. For which?

15 Q. I assume -- I was assuming for metal sampling
16 that there was a routine of 14 or 21 days.

17 MR. SCHICK: ISDA? AOC?

18 Q. (By Mr. Nidel) If it was different I
19 understand. I was assuming it wasn't and I thought we
20 could quickly cut to the chase, but --

21 A. I think the normal turnaround time is
22 typically two weeks.

23 Q. Okay.

24 A. From receipt at the lab.

1 Q. Okay. Was the normal turnaround time
2 something that you normally used with the lab?

3 A. I believe that's true.

4 Q. Okay. You didn't pay for an expedited
5 turnaround time. Correct?

6 A. Not typically.

7 Q. And you didn't get a discount for delaying
8 your turnaround. Right?

9 A. No.

10 Q. Okay. So you took the samples and it's fair
11 to assume that within roughly two weeks you would have
12 results. Is that fair?

13 A. Not necessarily. I mean, once the -- once
14 the results are received by the consultant, in this
15 case Arcadis, it goes through a process of, you know,
16 quality control, quality assurance, to validate the
17 samples -- or sorry, to validate the analytical
18 results. So it's additional weeks beyond receipt of
19 the data from the lab that the results are finalized.

20 Q. So how many -- from sample -- from the date
21 that Arcadis sampled to the day that you had results,
22 how long would that be?

23 A. Again, it would -- it depends somewhat on the
24 number of samples that are, you know, being taken. If

1 we, you know, took a large number of samples in any,
2 you know, short period of time, it would take Arcadis
3 longer to work through the validation process than,
4 you know, it would otherwise take if they just had a
5 small number of samples. So, I mean, typically it's
6 probably a couple of months between the time the
7 samples are first taken and when the first results are
8 received, but that can be extended, like I said, if
9 there's a large sample volume to be addressed.

10 Q. Okay. And I just want to be clear. I think
11 I understand what you're saying but I want the record
12 to be clear. There would be a couple of months from
13 when you would receive the full data set of the
14 samples that were taken as opposed to your consultant
15 would receive the results within approximately two
16 weeks or, say, two to three weeks. Is that fair?

17 MR. SCHICK: Objection. Form.

18 A. Yeah. The -- yes, the consultant received
19 the analytical results directly from the lab, but
20 then, you know, they have to then perform their data
21 validation work to ensure that the data quality
22 objectives and the sampling and analysis plan were met
23 before those sample results were considered final and
24 then entered into the system.

1 Q. (By Mr. Nidel) I understand that. You -- I
2 find no fault with my questions and only fault with
3 your answers, which is a joke, but you said they were
4 received and I just wanted to understand that your
5 consultant would receive the results in a matter of
6 two to three weeks but then they would go through a
7 process and they would be finalized and provided to
8 you, the client, in some period of a couple of months.
9 Is that fair?

10 A. I think that's fair.

11 Q. Okay. You talked earlier that you reported
12 to I think it was William Cobb. Right?

13 A. Yes.

14 Q. And I think you talked about you would -- you
15 sort of made decisions with Mr. Cobb's input at times.
16 Correct?

17 A. Yes.

18 Q. Okay. And based on reporting to him he had
19 some oversight of your decision-making and your work
20 on the site. Is that fair?

21 A. Yes, as my supervisor, that's accurate.

22 Q. Okay. What was the conceptual site model for
23 dioxins?

24 A. I don't believe there was a different site

1 model for dioxin as compared to any other constituent.
2 To the extent that it was an air release, it would
3 follow the same general pattern where higher
4 concentrations would be expected close to the facility
5 boundary and lessening -- lessening concentrations as
6 you moved away from the boundary.

7 Q. Okay. And I talked a lot about conceptual
8 site models and the source, and without going through
9 the same exercise, what was your understanding in the
10 conceptual site model of what the source was of
11 dioxins on the site?

12 A. Likely, it was the incorporation of scrap
13 materials containing plastics into the secondary
14 smelting process.

15 Q. Okay. And was that the -- I appreciate that
16 answer. So the process was the burning of
17 plastic-bearing scrap material, the source on the
18 site, was that the cupola stack?

19 A. It was probably one of the sources. You
20 know, I can't rule out that there weren't fugitive
21 emissions, as well, that were associated with that.
22 You can't -- you can't look at one, you know, stack
23 source without also considering a fugitive source.

24 Q. Okay. What other sources of dioxins did you

1 consider other than the cupola stack?

2 MR. SCHICK: Objection. Form.

3 A. Can you repeat that?

4 Q. (By Mr. Nidel) Yeah. In order to have a
5 conceptual site model you have to have an
6 understanding of the source, and I'm asking you what
7 other sources of dioxins from the facility did you
8 consider other than the cupola stack?

9 A. We didn't consider any different sources of
10 dioxin as compared to sources of metals that we've
11 talked about earlier. These were all emissions from
12 the facility. These were emitted consistent with what
13 we believed to be the conceptual site model and I
14 don't believe we indicated that dioxin behaved any
15 differently in a general sense from, you know, other
16 constituents of the stack or fugitive emissions. But
17 again, it was the actual physical sampling of the soil
18 material that informed us as to what was going on as
19 opposed to, you know, any particular modeling
20 exercise.

21 Q. I'm not talking about modeling at this point.
22 Your -- I'm talking about the conceptual site model.
23 Your assessment of dioxins specifically compared those
24 dioxin congeners to what was found in the cupola

1 stack. Correct, by EPA?

2 A. The sampling which was done as part of our
3 delineation effort on dioxin was compared to the
4 information that was obtained from stack testing back
5 in the 1980s.

6 Q. Okay. What other sources of dioxin onsite
7 was it compared to?

8 A. I don't believe it was compared to any others
9 besides the stack testing data which we had.

10 Q. Okay. The LSRP was concerned about dioxins
11 from the site. Correct?

12 MR. SCHICK: Objection. Form.

13 A. While we were doing the onsite delineation,
14 which, you know, expanded into the offsite, the LSRP
15 indicated that he felt that additional dioxin
16 delineation should be performed. And to address that
17 request from the LSRP, we did additional dioxin soil
18 sampling generally on the northern boundary of the
19 facility.

20 Q. (By Mr. Nidel) You reviewed a number of data
21 sets of dioxin analysis. Correct?

22 A. Are you talking historic information or --

23 Q. Yeah. You reviewed a number of data sets
24 when you did some reviews of dioxins. Right?

1 A. There was a review of the dioxin information
2 that was generated in the 1980s as part of stack
3 testing, as well as soil testing that was performed as
4 part of the onsite remedial investigation.

5 Q. Okay. So you reviewed stack testing that was
6 done by both EPA and Radian. Correct?

7 A. Yes, I believe that was part of the review.

8 Q. You reviewed site testing that was done by
9 both EPA and Radian. Correct, site soils?

10 A. I believe that's correct.

11 Q. Okay. And you also reviewed testing that was
12 done by EPA of baghouse dust. Correct?

13 A. I don't recall the EPA baghouse dust review.
14 Sorry.

15 Q. Okay. Why did you only compare the offsite
16 dioxin samples and their congeners to the stack
17 testing?

18 A. The stack testing information I think had the
19 best detail on the relative quantities of the various
20 dioxin congeners as compared to some of the other data
21 is my recollection.

22 Q. Okay. Well, the same EPA that tested this
23 stack also tested the baghouse dust and the baghouse
24 dust was more highly chlorinated. Correct?

1 A. I don't know. I told you I don't recall the
2 baghouse dust data.

3 Q. Okay. You recall that Radian identified the
4 baghouse dust as the most significant source of
5 fugitive emissions from the site. Is that correct?

6 MR. SCHICK: Objection. Form.

7 A. I don't recall that from the Radian report.

8 Q. (By Mr. Nidel) If the baghouse dust did have
9 dioxins and if the baghouse dust congener profile was
10 different than the stack testing profile and if
11 Radian, your consultant, previously identified the
12 baghouse dust as a significant source or the most
13 significant fugitive source of emissions from the
14 site, would you agree that that would be relevant to
15 your fingerprinting assessment of the dioxins that you
16 found offsite?

17 MR. SCHICK: Objection. Form.

18 A. I'd say not necessarily and, you know,
19 fugitive emissions would tend to drop off very quickly
20 in proximity to the source as compared to the stack
21 emissions.

22 Q. (By Mr. Nidel) Okay. So the stack emissions
23 don't drop off very quickly --

24 A. They --

1 Q. -- from the source?

2 A. They don't drop off as quickly as the
3 fugitives because of the dispersion.

4 Q. Okay. How quickly do the stack emissions
5 drop off, then?

6 A. Again, you know, based on -- based on the
7 model they both drop off -- based on the air model
8 they both drop off fairly quickly. And again, it's
9 more appropriate to do actual sampling than to hang
10 your hat on the results of a model, regardless of
11 whether that's fugitive or stack-derived emissions.

12 Q. I understand it's more reliable to rely on
13 sampling and you did sampling and you found dioxins.
14 Correct?

15 A. We found low levels of dioxin near the
16 facility boundary.

17 Q. Okay. Have you looked at -- are you familiar
18 with dioxin cleanup standards from the rest of the 50
19 states?

20 A. No, I'm not.

21 Q. Okay. Are you aware of states that require
22 cleanup of dioxins as low as 10 or 20 parts per
23 trillion?

24 A. I think I just answered that. No.

1 Q. Okay. Do you know what a safe level of
2 exposure to dioxins is?

3 A. I don't know.

4 Q. Okay. Was it your goal -- is it your goal in
5 remediating the neighborhood to create a safe
6 environment for people?

7 MR. SCHICK: Objection. Form.

8 A. Help me understand what you mean by a safe
9 environment.

10 Q. (By Mr. Nidel) Okay. Well, you've found
11 results within Zone 1 -- let's just talk about Zone 1.
12 Do we agree that Zone 1 was impacted by the smelter?

13 A. I think it's possible that there are impacts
14 from the smelter in Zone 1.

15 Q. Okay.

16 A. Of both, you know, lead, arsenic and copper.

17 Q. Okay. And those impacts lead to extremely
18 high in some cases concentrations of lead and/or
19 arsenic. Correct?

20 MR. SCHICK: Objection. Form.

21 A. Again, there -- it's possible that there are
22 emissions from the facility of lead, arsenic and
23 copper into Zone 1, but whether they are solely the
24 cause of any exceedances beyond the residential

1 cleanup standards is not something I can say with any
2 certainty.

3 Q. (By Mr. Nidel) Okay. But you agree that
4 they're above the residential cleanup standards.
5 Right?

6 A. No. What I -- what I agree is that there are
7 properties within the AOC where lead, arsenic and
8 copper are present in excess of cleanup standards.
9 Whether those are attributable solely to the USMR
10 operations, I can't agree to that.

11 Q. Okay. I'm not asking you would agree with
12 that. I just asked if they exceeded cleanup
13 standards.

14 A. Okay.

15 Q. And they do. Right?

16 A. Certain properties exceed cleanup standards
17 for those constituents.

18 Q. Okay. And that would be a cause of concern
19 for USMR. Correct?

20 MR. SCHICK: Objection. Form.

21 A. To the extent that we are -- I mean, USMR has
22 committed to remediate properties within the AOC where
23 those standards for those three constituents are
24 present in excess of the cleanup standards regardless

1 of the attribution of those constituents.

2 Q. (By Mr. Nidel) Okay. So being a fine
3 corporate citizen USMR has agreed to take
4 responsibilities, you just said, to clean up
5 regardless of the attribution. And is it the goal of
6 that cleanup to remove all pollutants to below the
7 cleanup standards?

8 MR. SCHICK: Objection. Form.

9 A. USMR has committed to clean up properties
10 within the AOC where the three constituents, copper,
11 lead or arsenic, are present in excess of the cleanup
12 standards consistent with our remedial action work
13 plan, which, again, has been approved by the LSRP.

14 Q. (By Mr. Nidel) Okay. I'm not asking about
15 your remedial action work plan. I'm asking is it your
16 goal to remove all pollutants to the -- to below the
17 cleanup standard?

18 MR. SCHICK: Objection. Form.

19 A. Our work in the AOC is limited to the three
20 constituents of concern, lead, arsenic, and copper,
21 and we are remediating those two below the residential
22 standard as provided for by our work plan.

23 Q. (By Mr. Nidel) What is the standard for
24 arsenic, lead, and copper that's provided for in your

1 work plan?

2 A. 19 parts per million for arsenic, 400 parts
3 per million for lead and I believe it's 3,900 parts
4 per million for copper.

5 Q. How far did contaminants go from the
6 facility?

7 A. I don't know.

8 MR. SCHICK: Objection. Form.

9 A. Are you -- what -- are you talking one
10 molecule of contaminants from the facility or -- I
11 mean, I don't understand.

12 Q. (By Mr. Nidel) How far did one molecule go?

13 A. I don't know.

14 Q. Miles?

15 A. I don't know.

16 Q. How far is Roosevelt Avenue from the smelter?

17 A. Half mile, more or less.

18 Q. Okay. What research did USMR do to determine
19 how far smelter contaminants would have been gone in
20 developing their conceptual site model?

21 A. Again, we -- we utilized the emissions model
22 that we developed to provide an estimate of what the
23 likely bounds of the ISDA were and based on that the
24 AOC was established.

1 Q. Okay. What I need to know is what -- what
2 other things they did, if anything. Did they research
3 in a library? Did they look at studies from EPA on
4 other smelters? Did they look at published literature
5 on smelters and emissions from smelters? What other
6 things did they look at other than their model and
7 then the layout of Carteret and then the samples that
8 they took within the ISDA?

9 A. I don't believe we looked at anything else in
10 order to propose the ISDA boundaries. Again, I mean,
11 we've been through this. We've relied on actual
12 physical samples to really determine what's going on
13 on the ground and not trying to apply examples from
14 what may be a completely different circumstance to
15 what's going on. We're letting the data drive our
16 decision-making process.

17 Q. Okay. My problem with the data is you only
18 went out half a mile, okay, and so I'm asking you if
19 you looked at studies where there are other
20 experiences, whether with USMR facilities or other
21 facilities that are published in the literature or
22 available in regulatory documents, that show how far
23 particles under 10 microns travel when they're emitted
24 from 400-foot stacks.

1 MR. SCHICK: Objection. Form.

2 A. I'm not aware that we looked at any of those
3 studies.

4 Q. (By Mr. Nidel) Okay. Are some of the
5 highest levels that you found in the subsurface or
6 some of the deeper samples?

7 A. It seems that some of the higher samples are
8 not located in the topmost soil horizon. There are
9 lower -- lower horizons that have some pretty
10 significant levels of particularly lead and arsenic.

11 Q. And what is your understanding of why that
12 would be?

13 A. Again, part of the theory is that a lot of
14 the material that is at depth is associated with
15 historic fill and exhibits the concentrations of, you
16 know, constituents consistent with historic fill. In,
17 you know -- let me pull out a specific example. One
18 of the borough park properties, which was sampled when
19 we -- when we looked back at the Sanborn maps, which
20 we talked about briefly before lunch, it was
21 determined that the area which is now a park was
22 formerly a subdivision.

23 So what likely happened, and this is what was
24 validated during our sampling exercise, is rather than

1 demolishing and removing those buildings and doing
2 that prior to the establishment of the park, some of
3 these buildings were likely just demolished onsite so
4 all of the material that was associated with the house
5 and the basement, including, you know -- you know, the
6 furnace, you know, the ash pile, the wood, the
7 lead-containing paint, was all just simply buried
8 there in advance of the park being placed or the park
9 being constructed. So, I mean, that's -- that's one,
10 I guess, really good example of why there are higher
11 levels of certain contaminants at depth as compared to
12 what would otherwise be on the surface.

13 Q. You're talking about Chrome Park?

14 A. I'm talking about Chrome Park, yes.

15 Q. Okay. And you also know from studying Chrome
16 Park that many of the samples within Chrome Park are
17 actually the lowest of the samples that you found.

18 Correct?

19 MR. SCHICK: Objection. Form.

20 A. At the surface there's, you know, some of the
21 lower -- some of the lower concentrations we're
22 seeing. You know, the higher concentrations are
23 definitely at depth.

24 Q. (By Mr. Nidel) Okay. And you know why that

1 was. Right?

2 A. We have a theory.

3 Q. Okay.

4 A. Which I just explained.

5 Q. And that was because there used to be a
6 neighborhood on top of Chrome Park. Right?

7 A. At least on top of portions of Chrome Park.

8 Q. Okay. And that was redeveloped sometime
9 between the '60s and '70s. Correct?

10 A. I believe that's the time frame, yes.

11 Q. Okay. It was turned into a park. Correct?

12 A. Yes.

13 Q. And the soils at the surface were replaced by
14 some type of fill that doesn't have the high levels of
15 lead and arsenic that the rest of the neighborhood
16 has. Correct?

17 A. I don't know where the Borough obtained that
18 fill from, so I can't speculate as to the
19 concentrations of those constituents within the fill.

20 Q. Well, you've tested them and you know what
21 the concentrations are today. Right?

22 A. Yeah, you asked what they were at the time
23 when the Borough placed them there. I don't --

24 Q. I'm just asking what -- in Chrome Park you

1 know -- you saw that those samples are actually
2 different than the rest of the samples in the
3 neighborhood and you know from your Sanborn maps and
4 your aerial photos that they're different because that
5 property was developed in the '60s or '70s. Correct?

6 A. Yes, the Chrome Park was developed in the
7 '60s and '70s and the data indicates that the surface
8 level of -- the surface-level concentrations of
9 constituents are fairly low there and, you know, in
10 comparison with other portions of the AOC.

11 Q. And that's because of the redevelopment.
12 Correct?

13 A. I -- I don't know what the direct cause was.
14 All I know is what the data is telling.

15 Q. Right. But presumably it's from
16 redevelopment. This is not soil -- you know that it's
17 not soil that sat there from 1902 until you sampled it
18 in 19 -- sorry, in 2010 and beyond. Correct?

19 A. It was likely soil that was placed there by
20 the Borough in the '60s and '70s, but, you know, the
21 constituents in that soil at the time of placement we
22 don't know.

23 Q. Okay. But you know what they are currently
24 and in general that's one of the lowest spots that you

1 identified in the neighborhood. Correct?

2 A. It's one of the lower spots, yeah.

3 Q. Okay.

4 A. I wouldn't say lowest.

5 Q. Using the cleanup standard as you did
6 earlier, it's one of the, quote/unquote, cleanest
7 spots in the neighborhood. Correct?

8 A. Say that again.

9 Q. Using the word clean in quotes as you used it
10 before, that's one of the cleanest spots in the
11 neighborhood. Correct?

12 MR. SCHICK: Objection. Form.

13 A. I'd say not -- not completely. You know,
14 some of the shallow soil horizons are cleaner than,
15 you know, maybe some others, but the impacts at depth
16 are pretty significant. So, you know, saying that,
17 you know, that particular parcel is relatively clean,
18 I can't agree with that because you need to take a
19 look at the entire vertical delineation to determine,
20 you know, clean versus dirty.

21 Q. (By Mr. Nidel) Okay. Let me ask the
22 question again, then. The surface soils are some of
23 the cleanest of those that you sampled in the
24 neighborhood. Correct, in Chrome Park?

1 MR. SCHICK: Objection. Form.

2 A. There are some -- there are some properties
3 within the AOC that are cleaner and some that are
4 dirtier, if you will.

5 Q. (By Mr. Nidel) Okay. We're going to look at
6 your sample results from your TIA database. Okay?
7 You're not -- you can't agree with me right now that
8 Chrome Park has a vast chunk of green samples in the
9 north of the park and in the south of the park. We
10 can't agree to that?

11 A. We can agree to that on specific soil
12 horizons, but not --

13 Q. Okay.

14 A. -- not as a whole.

15 Q. Okay. That's why I limited my question to
16 the surface.

17 A. Okay.

18 Q. But you couldn't agree to it. So would you
19 agree now that the sample -- the surface samples in
20 Chrome Park are some of the cleanest that you found?

21 MR. SCHICK: Objection. Form.

22 A. I would agree that there -- they are
23 relatively clean compared to other properties and, you
24 know, dirtier than certain others. I wouldn't

1 represent them as the cleanest.

2 Q. (By Mr. Nidel) Okay. They have the highest
3 percentage of samples that are in compliance with the
4 cleanup standard?

5 A. Again, are you talking at the surface?

6 Q. At the surface, yes.

7 A. There's a high percentage of surface samples
8 in Chrome Park that are relatively clean.

9 Q. A higher percentage than any other 10-acre
10 parcel that you investigated. Correct?

11 A. I'm not sure there was any other 10-acre
12 parcel, so if you're just talking about Chrome Park
13 standing, you know, by itself.

14 Q. I don't mean parcel as defined by streets.
15 I'm asking any other -- can you tell me anywhere on --
16 anywhere else onsite that you could find as many clean
17 samples in the surface there that are compliant with
18 the standard that you found as you did in Chrome Park?

19 A. Probably not any physically contiguous
20 samples or use areas, if you will, as compared to
21 Chrome Park.

22 Q. And you knew when you did the ISDA -- sorry.
23 You knew when you did the ISDA and evaluated the
24 samples that that area had been developed at some

1 point in the '60s or '70s. Correct?

2 A. I'm not sure we knew that at the time.

3 Q. When did you find that out?

4 A. I believe after we started getting into the
5 Sanborn maps. So, again, that was before my tenure as
6 project manager, but, you know, I believe that the
7 ISDA samples were located regardless -- you know,
8 without regard to any redevelopment history of a
9 particular parcel.

10 Q. Sure. And maybe I will criticize my
11 questions because they don't seem to be clear. My
12 question wasn't whether the sample locations were
13 chosen based on that. I'm saying your analysis, when
14 you did your analysis of the sample results with your
15 zones, you knew that Chrome Park had been redeveloped.
16 Correct?

17 A. I don't know if that's true.

18 Q. Okay. I need to know if you knew if it was
19 true, and I know it might have been before your time,
20 but it wasn't before U.S. Metals' time. So I'm asking
21 U.S. Metals when did you know that Chrome Park was
22 redeveloped?

23 A. I don't know when we became aware of that
24 specific piece of information.

1 Q. Okay. When did you do the Sanborn
2 investigations?

3 A. I believe it was done subsequent to the ISDA
4 work and when we had already begun the more detailed
5 work in the AOC.

6 Q. Okay. Well, you know now that those areas
7 were redeveloped. Correct?

8 A. We do know that now.

9 Q. Okay. And you know that there's a factual
10 reason as to why those surface samples are more
11 compliant than any other similar contiguous area on
12 the -- in the community. Correct?

13 A. That's one possible line of evidence, but I
14 don't know why that, you know, was, you know,
15 unilaterally the case.

16 Q. Okay. You wouldn't include samples that you
17 know either had a lead plant on them or had industrial
18 soils dumped on them or had clean soils dumped on them
19 in your analysis of where to find soils that you
20 contaminated for the last 85 years, would you?

21 MR. SCHICK: Objection. Form.

22 A. I don't understand that question.

23 Q. (By Mr. Nidel) Okay. It doesn't make sense
24 -- you know the property was redeveloped sometime

1 after 1960. Correct?

2 A. We do know that now.

3 Q. Okay. You knew that the site emitted
4 pollutants, whether they went offsite or not you can
5 debate, they omitted pollutants starting in 1902 when
6 it started its furnaces. Correct?

7 MR. SCHICK: Objection. Form.

8 A. Say that again.

9 Q. (By Mr. Nidel) You know that the facility
10 started emitting pollutants when it started its
11 furnaces up in 1902. Correct?

12 MR. SCHICK: Objection. Form.

13 A. I'm not aware of the precise date that the
14 furnaces, if you will, were started. I don't think
15 they were started as early as 1902.

16 Q. (By Mr. Nidel) Okay. They were started long
17 before 1960. Is that fair?

18 A. It's my understanding they were started
19 several decades before the 1960s.

20 Q. Okay. And so if you're looking for
21 pollutants from your operations that started several
22 decades before the 1960s, you would agree with me that
23 looking at soil that was placed sometime in the '60s
24 or '70s probably is not the place to find those

1 pollutants. Correct?

2 MR. SCHICK: Objection. Form.

3 A. We took a large number of samples throughout
4 the ISDA regardless of what the site development
5 history of a particular parcel was. So, yeah, we
6 didn't intend or, you know, intentionally bias
7 sampling one way or the other.

8 Q. (By Mr. Nidel) Roughly 20. Right, per zone?

9 A. 20 per zone, yes.

10 (Exhibit No. 52 and 53 marked.)

11 Q. Okay. I'm going to hand you Exhibits 52 and
12 53. Exhibit 52 -- we saw those yesterday. I just
13 couldn't find them. 52 is a 19 I think 61 aerial. I
14 have -- it's got my writing of the date. These were
15 produced by defendants.

16 A. Okay.

17 Q. 53 is a 1974 aerial, I believe. Is that
18 fair?

19 A. That's what they say on the drawings.

20 Q. Okay. All I can do is they were produced to
21 me. That was the file name.

22 A. Okay.

23 Q. So I'm assuming that was produced by
24 defendants for that reason. Okay. Can you identify

1 where Chrome Park is on those aerials? I've given you
2 a blue marker. Can you outline Chrome Park?

3 A. Sure. Let's see. (Complying.) I think I
4 got it right.

5 Q. Okay. There was another area that was
6 developed during that same time period on the -- I
7 think it's the northeast portion of AOC. Is that
8 correct?

9 A. That's correct.

10 Q. Okay. Can you circle that area that was also
11 redeveloped during that period of time?

12 A. I assume you're talking about this area right
13 here or (indicating).

14 Q. You reviewed Sanborn maps, you got -- I gave
15 you the benefit of those aerials. If you can tell me
16 what areas were redeveloped between the '60s and
17 early '70s on that map, on those two aerials, I'd
18 appreciate it.

19 A. Well, based on -- it's kind of hard to
20 superimpose these two together, but. . .

21 Q. It's hard to superimpose them because one was
22 predevelopment. Correct?

23 A. Yeah.

24 Q. The roads didn't even exist in some of the

1 portions. Correct?

2 A. That's why I'm having trouble.

3 Q. Yep.

4 A. Something like that.

5 MR. NIDEL: So just for the record, the
6 witness has outlined in blue on both Exhibits 52 and
7 53 portions of the site that are Chrome Park, as well
8 as another area on the northeast corner of the AOC
9 that was redeveloped sometime between the '60s and
10 '70s.

11 Q. (By Mr. Nidel) And if we could have the
12 assistance of the videographer, maybe, then you could
13 hold up starting with Exhibit 52 for the camera?

14 A. (Complying.)

15 Q. And then we can go to 53.

16 A. (Complying.)

17 Q. All right. I think we're good.

18 So you talked about a sample area on Chrome
19 Park where there was historical evidence of a house
20 that had been demolished and just basically buried
21 onsite. Do you recall that discussion?

22 A. I do.

23 Q. Was there a sample taken in that area that
24 identified that as an outlier or an anomaly?

1 A. During which sampling?

2 Q. How did you find out -- how did you come to
3 find out that there was a house there that must be --
4 must have had some lead paint that was buried?

5 A. Because during our sampling program as part
6 of the AOC when we were drilling down to obtain
7 samples, we were pulling up bits of wood and other
8 debris that would be associated with the dwelling.

9 Q. Okay. Did you take samples and analyze them
10 or did you then determine that that was not an
11 appropriate place to sample, given its factual
12 history?

13 A. I think we included that in our sampling
14 program because we are -- we're required to delineate
15 vertically the constituents of concern and the
16 regulations don't allows us to simply disregard
17 samples because of, you know, what they may have been
18 derived from. They're -- the samples are what they
19 are. We obtain samples from the various depth
20 intervals and ran them for sampling.

21 Q. But your regulations allow you to disregard
22 samples based on some statistical analysis that
23 someone does. Right?

24 MR. SCHICK: Objection. Form.

1 A. The statistician is allowed to disregard
2 certain individual samples which he or she considers
3 to be an outlier.

4 Q. (By Mr. Nidel) And that's provided for in
5 the regulation?

6 A. As far as I know, yes.

7 Q. What regulation?

8 A. Those would be the New Jersey tech regs, to
9 my understanding.

10 Q. Okay. What regulation specifically?

11 A. I don't know. I don't have an encyclopedic
12 knowledge of the tech regs.

13 Q. Okay. Well, I remember discussion from your
14 reports of a house that was buried. So I understand
15 that you didn't -- you may have sampled but you then
16 explained that sample away as being the result of some
17 other impact factually in the history of that site.
18 Right?

19 MR. SCHICK: Objection. Form.

20 A. We may have included a notation to that
21 effect in the drill log and the sample database.

22 Q. (By Mr. Nidel) Okay. And did you include a
23 notation to that effect in your discussions with the
24 LSRP?

1 A. I believe the LSRP is aware that there, you
2 know, is and continues to be buried residential debris
3 in portions of Chrome Park.

4 Q. Okay. Why -- why did you make him aware of
5 that?

6 A. It's an important fact as part of the
7 sampling program. It helps to explain in part why
8 constituent concentrations at depth are as high as
9 they are in that area as compared to the more
10 surficial samples.

11 Q. Okay. And the redevelopment history of
12 Chrome Park in the area to the northeast also is
13 important in understanding why constituents are in
14 those areas particularly low at the surface, isn't it?

15 A. It's part of the story, yes.

16 Q. Okay. That would be important in assessing
17 whether, for example, your pollution is decreasing as
18 you get further and further from the site as you go
19 across Chrome Park. Right?

20 A. I'm not sure I understand the question.

21 Q. Okay. The samples in Chrome Park we've
22 agreed, after much discussion, are generally lower at
23 the surface. Right?

24 A. Right.

1 Q. Okay. And you put zones in and you cut up
2 Chrome Park and you kept it out of Zone 1 but you got
3 it in Zone 2 and you got it in Zone 3 and then you got
4 that northeast corridor in Zone 3 and it looks like
5 the numbers line up for you. Right?

6 MR. SCHICK: Objection. Form.

7 A. There's -- there's numerous other samples in
8 all three zones that are not associated with Chrome
9 Park or the other undeveloped area to the northeast.

10 Q. (By Mr. Nidel) Numerous. You took 20 in
11 each zone. Correct?

12 A. Approximately.

13 Q. Do you know how many samples of those were
14 taken in Chrome Park or the area that you've outlined
15 as being redeveloped in the northeast?

16 A. Off the top of my head, I do not.

17 Q. Okay. Do you know how much those samples
18 influenced the averaging that you did?

19 A. No.

20 Q. Do you think that would be important to know?

21 A. When taken as a whole with all of the other
22 samples, I believe that we had a representative set of
23 tests for each of the zones.

24 (Exhibit No. 54 marked.)

1 Q. Okay. I'm handing you Exhibit 54 to your
2 deposition. Exhibit 54 is a copy of an administrative
3 consent order from 1988 Bates labeled USMR 17658.

4 Have you ever seen this document before?

5 A. Yes, I have seen this document.

6 Q. Okay. And if you turn to Page 17674 of that?

7 A. 674?

8 Q. It includes a remedial investigation scope of
9 work required as part of the consent order from 1988.
10 And the requirement number C is to fully determine the
11 horizontal and vertical extent of pollution at and/or
12 emanating from the site. Do you see that?

13 A. I do.

14 Q. You understand the site to be the USMR
15 facility that we've been talking about?

16 A. I do.

17 Q. Okay. And this document, among other things,
18 was -- has been attached to a number of reports that
19 were done by consultants for you. Correct?

20 A. (No response.)

21 Q. In the history of -- in their discussion of
22 the history of the cleanup they referenced this
23 document. Correct?

24 A. I believe that's true.

1 Q. Okay. So USMR was required as early as 1988
2 by the State of New Jersey to fully determine the
3 horizontal and vertical extent of pollution that
4 emanated from its site. Correct?

5 MR. SCHICK: Objection. Form.

6 A. I believe the, you know, wording in I-C of
7 this document kind of stands -- stands on its own.

8 Q. (By Mr. Nidel) Stands on its own to say that
9 New Jersey was requiring you to determine the vertical
10 and horizontal extent of pollutants that emanated from
11 your property. Correct?

12 A. That's what that paragraph -- subparagraph
13 says.

14 Q. Okay. Why were there two methods used for
15 metal, 6010 and 6020?

16 A. They're both EPA-approved methods to
17 determine metals concentrations and we were
18 originally -- and we talked about this before lunch as
19 well when we were looking at potential issues with the
20 data early on in the AOC study. One of the things
21 that we looked at was Method 6010 or 6020, both of
22 which are EPA-approved methods, better to do the
23 analysis for the samples we were obtaining.

24 Q. Okay. Did you determine whether one was

1 better or not?

2 A. I think ultimately we stuck with 6010, but I
3 can't remember for sure.

4 Q. Do you know why that was?

5 A. No, I don't.

6 Q. Okay. Why did you stop testing for zinc and
7 other metals?

8 A. As part of the AOC?

9 Q. Yeah. I mean, you stopped at some point.
10 Why did you stop?

11 A. Well, the ISDA sampling did not identify
12 exceedances of zinc or a couple of the other metals in
13 excess of cleanup standards within the ISDA, so the
14 only constituents that were carried through to the AOC
15 were the three that we've been talking about all day.

16 Q. Okay. But your consultants were telling you
17 on multiple occasions that zinc and cadmium were the
18 best indicator of smelter emissions from the site.
19 Right?

20 MR. SCHICK: Objection. Form.

21 A. Again, we selected the three analytes which
22 we did based on their being present in the ISDA in
23 excess of cleanup standards. All the other metals
24 were well below cleanup standard.

1 Q. (By Mr. Nidel) Okay. My question is not
2 that. My question is whether your consultants were
3 telling you that zinc and cadmium would provide the
4 best indications for you of impacts from the smelter
5 and its associated operations?

6 MR. SCHICK: Objection. Form.

7 A. I'm not aware that we were being told that by
8 the consultants at that time.

9 Q. (By Mr. Nidel) Okay.

10 A. I may be wrong, but I -- that's my -- that's
11 my recollection.

12 Q. Okay. Zinc was emitted from the facility.
13 Correct?

14 A. Zinc is something that the facility emitted,
15 yes.

16 Q. Okay. And just so the jury can understand,
17 the cleanup standard for zinc is several tens of
18 thousands, I think, parts per million. Correct?

19 A. I believe that's true.

20 Q. Okay. So it's not in fact surprising that
21 the zinc levels didn't exceed that cleanup standard.
22 Correct?

23 MR. SCHICK: Objection. Form.

24 A. I -- I don't know. If the -- if the levels

1 of zinc that we had identified through the ISDA work
2 were found to be in excess of the cleanup standards,
3 we would have carried that through as a constituent on
4 the AOC program.

5 Q. (By Mr. Nidel) Okay. What other sources of
6 zinc were there in the -- into the neighborhood?

7 A. What other sources of zinc?

8 Q. Yes.

9 A. I don't know.

10 Q. You don't know of any other sources of zinc,
11 do you?

12 A. I don't know.

13 Q. What other sources of cadmium did you
14 identify for pollution in the neighborhood?

15 MR. SCHICK: Objection. Form.

16 A. I don't know.

17 Q. (By Mr. Nidel) How did you go about
18 determining whether elevated metals concentrations
19 within an individual sample location were not
20 necessarily an indicator that exceedances of cleanup
21 levels at that particular location was due to air
22 deposition from the historical operations of the
23 smelter?

24 A. Say that again.

1 MR. SCHICK: Objection. Form.

2 Q. (By Mr. Nidel) Yeah. How did you go about
3 determining whether elevated metals concentrations
4 within an individual sample location were necessarily
5 an indicator that exceedances of cleanup levels at
6 that location was due to air deposition from the
7 historical operation of the smelter?

8 MR. SCHICK: Objection. Form.

9 A. We sampled for, as part of the ISDA, a number
10 of constituents which, based on the sampling onsite
11 which had been performed back in the '80s, were
12 present onsite in elevated concentrations. So in
13 addition to copper, lead and arsenic we sampled the
14 ISDA for zinc, selenium, cadmium I believe, and there
15 might have been one or two other -- other metals.
16 Based on the sample results, the only constituents
17 that resulted in exceedance of the cleanup standards
18 were lead, arsenic and copper, and as a result we did
19 not do any additional sampling as part of the AOC
20 program for the other metals.

21 Q. (By Mr. Nidel) What is an OR? With respect
22 to data, your spreadsheets, you have OR indicated,
23 crossed out data?

24 A. I don't know.

1 Q. You don't know why data would be crossed out
2 in your spreadsheet or in your TIA database?

3 MR. SCHICK: Objection. Form.

4 A. I don't know the specific reason.

5 Q. (By Mr. Nidel) Okay. There's data with an
6 OR and it indicates that it's -- it was disregarded
7 and it's crossed out so I'm trying to understand why
8 that is.

9 A. I don't know the specific reason.

10 Q. Okay. Is there any reason why data would be
11 specifically rejected or crossed out in your
12 assessment? So you took a sample, you sampled for
13 three metals, the lead number is the lead number and
14 it's crossed out.

15 MR. SCHICK: Objection. Form.

16 A. As -- as far as I know, the reason that an
17 individual sample result would be crossed out would be
18 either it was an outlier as determined by the
19 statistician or it didn't pass the validation process.

20 Q. (By Mr. Nidel) You talked about clean fill,
21 and when I asked you about the Chrome Park you said
22 you don't know the source of the clean fill that was
23 used. Right?

24 A. That's correct.

1 Q. You don't know the source of any fill that
2 was used in Carteret, do you?

3 A. No.

4 Q. Okay. You don't know the metals levels of
5 any of the fill that was used because you don't know
6 the source. Correct?

7 A. That would -- that would be true.

8 Q. What lab did you use for the analysis, or
9 labs?

10 A. I believe we were using ALS.

11 Q. Is that the only lab that you used for the
12 residential portion of the investigation?

13 A. I believe so. We may have used -- we may
14 have used another lab early on in the process, but I
15 don't recall. ALS has been the lab we primarily used.

16 Q. And they charge \$10 per metal. Correct?

17 MR. SCHICK: Objection. Form.

18 A. Yeah, I'm not -- I'm not sure what they
19 charge.

20 Q. (By Mr. Nidel) Okay. You don't remember
21 being told that they charge \$10 per metal?

22 A. No.

23 Q. I understand you don't remember, but does
24 U.S. Metals recall being informed that it was \$10 a

1 metal?

2 A. I'm sure at some point in the process
3 somebody at USMR was made aware of the per-metal
4 analytical cost.

5 Q. Did anyone ever suggest testing blood in the
6 neighborhood, either adults or kids, for lead?

7 A. I'm not aware that USMR has requested that
8 testing be performed.

9 Q. No. Did anyone ever request that USMR do
10 that testing?

11 A. Not to my knowledge.

12 Q. Did you do any of that testing?

13 A. No.

14 Q. Did you request blood lead levels from local
15 schools?

16 A. Not that I'm aware of.

17 Q. Okay.

18 MR. NIDEL: I need to take a break and I
19 feel like my Court Reporter might need a break so I'm
20 going to take a break.

21 THE VIDEOGRAPHER: Okay. We are off the
22 record. It is 2:42. It's the send of Tape 4.

23 (Break.)

24 THE VIDEOGRAPHER: Okay. We are back on

1 the record. It's 2:52 and it's the beginning of Tape
2 5.

3 Q. (By Mr. Nidel) What guidelines did you use
4 for sensitive populations in the community?

5 MR. SCHICK: Objection. Form.

6 A. What guidelines did we use?

7 Q. (By Mr. Nidel) Yeah. Did you have any
8 guidelines for sensitive populations, kids, children,
9 young children?

10 A. I believe we prioritized work on properties
11 where lead was in excess of 1,200 ppm and there was a
12 sensitive population that would be, I believe, a child
13 less than six years old. That would be the highest
14 priority property. The second one was concentrations
15 less than 1,200 with a -- with a sensitive population,
16 and then the third tier would be less than 1,200
17 without a -- without sensitive population.

18 Q. Okay. What -- when you say you prioritized
19 them, what did you do to prioritize them?

20 A. When we were preparing to do the remediation
21 work, those properties that had the highest priority
22 were addressed first in the remediation program.

23 Q. Okay. Was there any other way that you
24 treated those properties any differently or with any

1 other priority?

2 A. As far as cleanup I don't believe we did.

3 Q. Okay. As far as any other thing,
4 communications with the people that live there, you
5 give them hotel rooms. Was there anything else that
6 you did other than you sampled, you got the results,
7 eventually you communicated those results to them and
8 then you got their permission to remediate and then
9 when you had them queue it up you put them at the
10 front of the queue to remediate?

11 A. I think that's accurate, yes.

12 Q. Okay. Was there anything else that you did
13 to prioritize them? Did you send them a letter that
14 said you're above 1,200 and you should not use the
15 yard until further notice or anything?

16 A. There was information for those properties
17 where lead exceeded the standard. Included in the
18 data packet was information developed by the New
19 Jersey Department of Health, I believe, that talked
20 about lead impacts and what a person could do to
21 protect themselves.

22 Q. Okay. Did it talk about how to protect
23 yourself from lead paint or how to protect yourself
24 from lead in your front yard?

1 A. I'm not sure it was specific to yards or
2 paint. I believe it was a general publication
3 prepared by the New Jersey Department of Health.

4 Q. Okay. Did USMR tell those people anything
5 about the lead that was in their yard?

6 MR. SCHICK: Objection. Form.

7 A. We provided the sample results to all
8 property owners and that included the concentrations
9 of lead, arsenic and copper.

10 Q. (By Mr. Nidel) Okay. Did you tell them
11 anything about -- else about the concentrations of
12 lead, arsenic or copper that you provided to them
13 specifically for those people that were above 1,200,
14 say?

15 A. Not to my knowledge.

16 Q. Did you provide anyone with any different
17 information? So my understanding is that for anyone
18 that had an exceedance of lead, arsenic or copper, you
19 provided them the same information. Is that fair?

20 A. I believe that's true.

21 Q. Okay. Did you provide anyone with an
22 opportunity to stay in a hotel while you prioritized
23 remediation of their property?

24 MR. SCHICK: Objection. Form.

1 A. Not to my knowledge.

2 Q. (By Mr. Nidel) What other air models did you
3 review or use as part of your work on the site?

4 A. What other air models?

5 Q. Yes. So did you review or use -- reference
6 the Dunk modeling that was done?

7 A. I -- I -- we reviewed the Dunk modeling.

8 Q. Okay. That was my question. What other --

9 A. Okay.

10 Q. -- air models did you review or use and you
11 just said you reviewed it. Right?

12 A. That's right.

13 Q. Okay. What other ones did you review or use?

14 A. There was the -- as far as modeling, I
15 believe Arcadis did a dioxin air model. I believe
16 Radian did a model, as well.

17 Q. That was for the ambient air quality
18 standard?

19 A. I believe so.

20 Q. And you reviewed and used that. Correct?

21 A. We reviewed and -- you know, the information.

22 Q. Okay. Any other air models that were done?

23 A. Well, and the --

24 Q. McVehil?

1 A. -- McVehil model.

2 Q. You actually used some of the Dunk modeling
3 in your presentation in support of the conceptual site
4 model. Correct?

5 A. I believe that's true, yes.

6 Q. Okay. You used the later modeling with the
7 added emissions controls. Correct?

8 A. I believe the Dunk model incorporated the
9 controls that were in place at the time that the
10 modeling was done.

11 Q. Okay. You were aware that he did multiple
12 modeling runs with different scenarios at different
13 times. Correct?

14 A. Dr. Dunk did a number of models, I believe.

15 Q. Okay. And you reviewed those and then you
16 chose to incorporate that one that he did after he had
17 added all the controls. Is that right?

18 MR. SCHICK: Objection. Form.

19 A. I mean, to the extent that the Dunk models,
20 if I recall correctly, they all generally supported
21 the conceptual site model and the McVehil model to
22 show that, again, the emissions were highest in close
23 proximity to the facility and exponentially decreased
24 as you -- as you move away from the facility. I don't

1 think the Dunk models contradicted anything that was
2 done by the McVehil model. It still showed the same
3 general exponential decrease of concentrations as you
4 moved away from the facility. And again, that was the
5 basis of the conceptual site model, which was further
6 validated by actual data.

7 Q. (By Mr. Nidel) Okay. That -- that
8 exponential decay is -- that's the basis for the
9 equations that form an air model. Correct?

10 A. Well, it's my understanding that's correct,
11 because that's how things behave in the real world.

12 Q. Okay. So the only question is going to be
13 how -- what your particle size distribution is and how
14 much of the stuff you put out and then your
15 meteorological conditions and that's going to tell you
16 how far it goes. Correct?

17 A. Generally, yes.

18 Q. Okay. So that's why it's important to
19 understand how much your consultants assumed was going
20 out the stacks and what the particle size distribution
21 was that they used. Do you understand that?

22 MR. SCHICK: Objection. Form.

23 A. I understand that to fine-tune a model all of
24 that information is helpful, but at the end of the day

1 that does not take away from the fact that emissions
2 behave a certain way. The way those emissions behave
3 is consistent with our conceptual site model and they
4 are generally consistent with the sampling that we've
5 done to validate that site model.

6 Q. (By Mr. Nidel) Okay. I'm not talking about
7 fine-tuning. I'm talking about coarse-tuning. I'm
8 talking about understanding whether it was 3 pounds
9 that went out per hour or whether it was 3,000 pounds
10 that went out per hour. Do you understand the
11 difference?

12 MR. SCHICK: Objection. Form.

13 A. I know the difference between 3 and 3,000.

14 Q. (By Mr. Nidel) Okay. And you understand
15 that if 3,000 went out and 3 went out, the distance
16 which is going to remain significant in terms of
17 deposition is going to be much greater when you have
18 3,000 going out versus 3. Correct?

19 MR. SCHICK: Objection. Form.

20 A. Your modeled emissions will be greater if
21 your input or your output model -- your input to the
22 model on a mass basis is greater.

23 Q. (By Mr. Nidel) Okay. And it's going to
24 asymptote to zero at a much further distance from the

1 plant when you have 3,000 going out versus 3 going
2 out. Correct?

3 MR. SCHICK: Objection. Form.

4 A. I'm not an air modeler, but I assume there's
5 other -- other factors including, you know,
6 meteorological parameters that come into play.

7 Q. (By Mr. Nidel) Okay. All those being equal.

8 A. You know, I'm not a modeler. You know, the
9 3,000 may -- may drop off at the same rate as the 3
10 does under the same meteorological conditions. Again,
11 what's important here is that we are getting actual
12 data. So in -- you know, from one standpoint it
13 really doesn't matter what the inputs to the model
14 are. What's important is the on-the-ground
15 information that we're getting from our sampling.

16 Q. Okay. So was the model used to determine
17 anything or to inform anything about the distance for
18 which the smelter may be responsible for contaminants?

19 A. The McVehil model informed generally the use
20 of Roosevelt Avenue was an outer bound for the ISDA.

21 Q. Okay. How did it do that if you don't even
22 know if the amount was accurate? You don't know if
23 the particle size choice distribution of the particles
24 was accurate to any extent. So -- and you agreed with

1 me that the particle size is going to change the
2 distance and you agree with me the amount is going to
3 change the distance. That same meteorological file
4 being used, those things are going to change the
5 distance. So what does the McVehil model tell you
6 about Roosevelt Avenue?

7 MR. SCHICK: Objection. Form.

8 A. It tells us that there is a significant
9 exponential decrease in the anticipated emissions at
10 that distance from the smelter. And again, Roosevelt
11 Avenue was used as a starting point for the ISDA. If
12 the findings of the ISDA didn't corroborate the
13 conceptual site model, then it would have been
14 expanded at that time. It was the opinion of the
15 company as confirmed by the LSRP that Roosevelt Avenue
16 was an appropriate northern boundary for the AOC with
17 the caveat that additional sampling would be used as
18 the basis for any future evaluation of the AOC
19 boundary.

20 Q. (By Mr. Nidel) Okay. Why did you not use
21 the Dunk model that showed air quality lead
22 exceedances that go out as far as 10 kilometers from
23 the site?

24 MR. SCHICK: Objection. Form.

1 A. What -- what lead exceedances are you
2 referring to that go out 10 kilometers?

3 Q. (By Mr. Nidel) The modeling that Mr. Dunk
4 did -- or Dr. Dunk did to show the compliance with the
5 ambient air quality standards or lack thereof?

6 MR. SCHICK: Objection. Form.

7 A. I think you're comparing apples and oranges
8 here. The ambient air quality standard, you know,
9 compliance or noncompliance with that does not
10 necessarily translate to lead or arsenic concentration
11 in soil that would exceed a cleanup standard.

12 Q. (By Mr. Nidel) The same exact air model that
13 you attached to your little analysis. Right? Same
14 thing that Dr. Dunk did, I think it was actually the
15 same modeling that was for ambient air quality
16 standards that was after the controls that you
17 attached in your documentation. Correct?

18 MR. SCHICK: Objection. Form.

19 A. Repeat that.

20 Q. (By Mr. Nidel) The model that you attached
21 that --

22 A. Attached to what?

23 Q. That looked a little something like that
24 (indicating).

1 A. Uh-huh. What's the document that you're --

2 Q. I don't -- I'm just showing you what it
3 looked like --

4 A. Okay.

5 Q. -- to refresh your recollection because --

6 A. If you'd show me the whole document I'd be
7 more refreshed.

8 Q. I thought you prepared for this deposition.

9 MR. SCHICK: Objection to sidebar.

10 Q. (By Mr. Nidel) You attached a graph that
11 looked like that from Mr. Dunk --

12 MR. SCHICK: To what?

13 Q. (By Mr. Nidel) -- to your documentation.
14 Correct?

15 A. To which document?

16 Q. To your justification for the conceptual site
17 model.

18 A. We used the McVehil model as our supporting
19 for the conceptual site model.

20 Q. And you also relied on some of Mr. Dunk's
21 modeling as we previously discussed. Correct?

22 A. We may have in part, yes.

23 Q. Okay. And it was air modeling that he did
24 for compliance questions with respect to ambient air

1 quality standards. Correct?

2 A. Again, you're -- you're attempting to draw a
3 conclusion that an exceedance of an ambient air
4 quality standard necessarily causes an exceedance of a
5 residential soil cleanup standard, which is not the
6 case.

7 Q. The modeling that McVehil did not also model
8 exceedances of a soil cleanup standard, did it?

9 A. No, it didn't.

10 Q. Okay. So you're comparing apples and
11 oranges. Correct?

12 MR. SCHICK: Objection. Form.

13 A. I don't think so.

14 Q. (By Mr. Nidel) Okay. Well, you just told me
15 I was because I was using an air quality -- an ambient
16 air dispersion model to use and compare to air -- to
17 soil deposition and concentrations in the soil, but
18 that's what you did. Right, to justify your
19 conceptual site model?

20 A. We used an air quality model to justify a
21 conceptual site model which prescribed a point or a
22 line distant from the smelter which would be a
23 starting point for our sampling as part of the ISDA.

24 Q. Okay. And that air quality model that you

1 used, someone later determined what the concentrations
2 would be reflected in the soil based on the amount of
3 deposition and I think it was around 100 ppm. Is that
4 right?

5 A. I believe that's generally the case.

6 Q. Okay. Do you know how many samples that you
7 had in the soil -- in the surface soil in the AOC that
8 exceeded 1,200?

9 A. There are quite a few from the surface, yes.

10 Q. Okay. So what good -- how accurate was that
11 McVehil model as far as the total amount that was
12 deposited in the neighborhood?

13 A. You're -- you're attempting to attribute
14 everything that is in those samples from -- from the
15 smelter and we don't believe that's the case.

16 Q. You've identified lead paint, gasoline. I'm
17 going to give you one more chance. What else you got?

18 A. Arsenic pesticides, arsenic wood treatment,
19 other industries, historic fill. There's multiple
20 other potential sources of this -- you know, these
21 constituents beyond the smelter. So, you know, just
22 attributing, you know, everything, you know, be it,
23 you know, 600, 1,200, 1,200 or 12,000 to the USMR
24 facility is not appropriate and it doesn't change the

1 general deposition model that McVehil or Dunk put
2 together.

3 Q. Who is John Gilpin?

4 A. I don't know a John Gilpin.

5 Q. You don't know who John Gilpin is?

6 A. No, sir.

7 Q. Who is Integral Consulting?

8 A. They're a consulting firm.

9 Q. Okay. Did you work with them on the site?

10 A. I'm not working with Integral on the site.

11 Q. Did you?

12 A. I don't believe we've work with Integral on
13 the site.

14 Q. It's your testimony that you did not -- that
15 Integral did no work on the site. Is that your
16 testimony?

17 A. That's my testimony.

18 Q. Okay. Who is GBH?

19 A. GBH?

20 Q. Yeah. Why is there a SharePoint GBH. Why
21 are all your documents on a GBH SharePoint?

22 A. They're not on a GBH website.

23 Q. Okay. Well, that's --

24 A. Are you thinking --

1 MR. SCHICK: You mean GH --

2 A. Are you thinking GHD?

3 Q. (By Mr. Nidel) OKAY. GHB. Who is GHB? I
4 may be --

5 MR. SCHICK: D.

6 Q. (By Mr. Nidel) -- dyslexic.

7 A. D.

8 Q. GHD? All right. Let's get -- let's get my
9 facts straight.

10 A. GHD is a consultant that the company uses.

11 Q. Okay. Were they used with respect to this
12 site?

13 A. Only with respect to the archiving and
14 management of the data.

15 Q. Okay. Why didn't you mention them when I
16 asked you about consultants?

17 A. Because they're not doing any work at the
18 site other than providing servers and programming to
19 archive the data.

20 Q. Okay. Was that my question?

21 A. I guess I was more focused on consultants
22 that were actually, you know, doing work.

23 Q. So them providing a SharePoint for you to use
24 is not doing work?

1 A. No.

2 MR. SCHICK: Objection. Form.

3 A. Yeah. I mean, they're not -- they're not out
4 there, you know, obtaining samples, analyzing samples,
5 doing remediation, anything of that sort. They're
6 simply providing data archiving service.

7 Q. (By Mr. Nidel) Zinc was one of the largest
8 metals emitted. Right?

9 MR. SCHICK: Objection. Form.

10 A. From where and from --

11 Q. (By Mr. Nidel) From the facility.

12 A. I -- zinc was emitted. Whether it was the
13 largest emission, I'm not sure.

14 Q. You don't know?

15 A. I don't know if zinc was the largest.

16 Q. Okay. Do you know --

17 A. I know zinc was emitted.

18 Q. Do you know if it was one of the largest?

19 A. It was likely one of the larger constituents
20 emitted.

21 Q. Okay. The consultants that you used, where
22 did they get their information from?

23 A. What information?

24 Q. Oh, like about -- you know -- I don't know --

1 McVehil did a model. Where did they get their
2 information from about the operations at the site?

3 MR. SCHICK: Objection. Form.

4 A. I don't know where specifically McVehil got
5 his information from.

6 Q. (By Mr. Nidel) Okay. Where did your other
7 consultants get information from? Did they rely on
8 USMR to get their information? That's my question.

9 MR. SCHICK: Objection. Form.

10 A. Much of the information about the site was
11 generated back in the '80s by HydroQual in the context
12 of the remedial investigation report that was -- that
13 was submitted in the late '80s. That -- that report
14 provided an extensive background on the operation of
15 the facility, as well as the onsite remedial
16 investigation results. So that was the source of much
17 of the information which was, in turn, used by ELM on
18 the onsite and then Arcadis on the offsite.

19 Q. (By Mr. Nidel) I think I'm going to try and
20 simplify it, but did your consultants rely on you,
21 U.S. Metals, to get their information?

22 MR. SCHICK: Objection. Form.

23 Q. (By Mr. Nidel) About the operations on the
24 facility. Okay? I'm not -- maybe they had a -- they

1 did their own research, but as far as what happened at
2 the facility, did they rely on U.S. Metals to provide
3 them that information?

4 A. We provided the consultants with copies of
5 various reports that had been generated over the years
6 and as I mentioned, the '88 and '89 remedial
7 investigation -- supplemental remedial investigation
8 reports were very informative, you know, in that
9 regard.

10 Q. Did you provide them things like the Dunk
11 modeling and all these other historical documents, as
12 well?

13 A. I believe the -- a number of the historical
14 documents were provided to our consultants who are
15 working there now. Specifically which documents were
16 and were not provided I don't know, but I know that a
17 number of historical documents were provided to both
18 ELM and to Arcadis.

19 Q. How did you provide those documents to those
20 consultants?

21 A. Generally electronically.

22 Q. I mean, did you e-mail them, did you set up a
23 Dropbox, did you set up a SharePoint that they had
24 access to? Did you share a drive with them? How did

1 that work?

2 A. We don't use Dropbox. I don't believe when a
3 lot of these reports went back and forth that the --
4 that the SharePoint site which GHD hosts was up and
5 running. So it was likely either in the form of
6 e-mails with attachments or equally likely back in
7 those days we didn't use thumb drives as regularly as
8 we do now, but it might have been on a DVD or a CD.

9 (Exhibit No. 55 marked.)

10 Q. Okay. I'm going to hand you Exhibit 55. Can
11 you identify Exhibit 55?

12 A. Yes. That's my business card.

13 Q. What -- what were the percentages of various
14 feedstocks to the cupola?

15 MR. SCHICK: Objection. Form. Beyond
16 the scope.

17 A. The percentage of the various feedstocks to
18 the --

19 Q. (By Mr. Nidel) Yeah.

20 A. -- cupola?

21 Q. What was fed to the cupola?

22 A. I don't know precisely. I know that up until
23 approximately 1960 the facility operated as a primary
24 smelter, primary copper smelter and post-1960

1 approximately the availability of primary copper
2 concentrate feed was limited and it transitioned into
3 a secondary smelter.

4 Q. Okay. What can you tell me about the
5 percentages of insulated wire that were fed to the
6 cupola?

7 MR. SCHICK: Objection. Form. Beyond
8 the scope.

9 A. I don't know.

10 Q. (By Mr. Nidel) You don't know anything about
11 the variation in percentages of insulated wire to the
12 cupola?

13 A. I don't.

14 Q. Okay. What do you know about the maintenance
15 of certain temperatures in the furnace in the cupola?

16 MR. SCHICK: Same objection.

17 A. I don't know how the temperature was
18 maintained or what the temperature was maintained at.

19 Q. (By Mr. Nidel) Do you know if telephones
20 were burned in the cupola?

21 MR. SCHICK: Objection. Form. Scope.

22 A. I don't know for a fact that telephones were
23 burned. That might have been something Mr. Fenn
24 reviewed.

1 Q. (By Mr. Nidel) Do you know how long the
2 insulated wire furnace was in operation?

3 MR. SCHICK: Same objections.

4 A. No, I don't.

5 Q. (By Mr. Nidel) Do you know where it was
6 located on the property?

7 MR. SCHICK: Same objections.

8 A. No, I don't.

9 Q. (By Mr. Nidel) Do you know what the baghouse
10 efficiencies were?

11 MR. SCHICK: Same objection.

12 A. No, I don't.

13 Q. (By Mr. Nidel) Do you know what McVehil
14 assumed that the baghouse efficiencies were?

15 A. No, I do not.

16 Q. Do you know what Arcadis assumed that the
17 baghouse efficiencies were when they did their dioxin
18 model?

19 A. No, I don't.

20 Q. Did the inputs to the cupola change over
21 time?

22 MR. SCHICK: Same objections.

23 A. It's my understanding that they did change
24 over time as the facility transitioned from a primary

1 smelter to a secondary smelter.

2 Q. (By Mr. Nidel) But within either the primary
3 smelting or the secondary smelting, do you know how
4 they changed other than to have changed from smelting
5 primary copper sources to secondary copper sources?

6 A. I'm not sure I understand the question.

7 Q. So from 19 -- when did the cupola start?

8 A. I don't know exactly.

9 Q. Okay. From when it started until your
10 testimony in 1960 it -- what was it smelting?

11 A. I don't know exactly, but a primary copper
12 smelter generally smelts copper concentrates.

13 Q. Okay. And is it your testimony that up until
14 1960 that the cupola smelted primary copper
15 concentrates?

16 A. That's -- that's my understanding, but,
17 again, Mr. Fenn probably provided more clarity on
18 that.

19 Q. And after 1960 do you know what the ratio of
20 any of the several feedstocks was or how that varied
21 over time?

22 MR. SCHICK: Objection. Form and scope.

23 A. No, I don't. Again, that's something I
24 believe Mr. Fenn addressed with you.

1 Q. (By Mr. Nidel) The reason I'm asking you
2 this is because -- and I need to explain this because
3 I keep getting objections but I've already explained
4 it -- is because it's my understanding that the levels
5 of dioxin and the types of dioxin that are emitted by
6 burning certain things are going to change depending
7 on how much you burn and at what temperature you burn
8 them at. And so my question for you is, since you did
9 the fingerprint analysis and made a spreadsheet of the
10 dioxin numbers to compare them, I'd like to know what
11 you know about the sources of dioxin at the site
12 including the burning of plastic wire in the cupola.
13 So what do you know about it?

14 MR. SCHICK: Same objections.

15 A. I don't know details about the burning of
16 plastic wire in the cupola. I know that our
17 consultant looked at the speciation of dioxin
18 congeners and compared that to the speciation in the
19 soil samples that were obtained and the determination
20 was made that the congener fingerprinting in the
21 onsite was different than what we were seeing in the
22 offsite and that was an argument that the LSRP found
23 persuasive and agreed with.

24 Q. (By Mr. Nidel) Did you tell the consultant

1 that there was also testing of baghouse dust for
2 dioxins? Did you share those results with the
3 consultant?

4 A. I'm not aware that those were shared with the
5 consultant.

6 Q. Okay. Did you share with the consultant that
7 there was a practice of open burning of plastic wire?

8 A. That was probably shared with the consultant
9 in the context of the historical information that was
10 part of the '88 remedial investigation report.

11 Q. Did you share with them that there was a
12 wire-burning furnace onsite?

13 A. I believe that the remedial investigation
14 report from 1988 went into a fair amount of detail on
15 what historically was being done at the plant. So I
16 would assume that there would be -- you know, that
17 type of facility would have been referenced in that
18 document.

19 Q. Okay. With respect to the consultants'
20 analysis of dioxin, did you specifically share the
21 fact that there was an open burning of wire -- plastic
22 wire and that there was a wire furnace onsite?

23 A. To the extent that that information is
24 documented in some of the background reports,

1 including the remedial investigation report, I'd say
2 yes, that information was shared with the consultants.

3 Q. Did you share that to the LSRP?

4 A. The LSRP has copies of all of the background
5 documents that the consultants have, to the best of my
6 knowledge.

7 Q. Okay. When you did your fingerprint
8 analysis, why did you not include the fact that there
9 was open burning of wire and a wire furnace prior
10 to -- several years, 25 years prior to EPA coming in
11 and testing a stack on a given couple of days?

12 MR. SCHICK: Objection. Form.

13 A. I'm not sure I understand the question.

14 Q. (By Mr. Nidel) Yeah. Why did you not look
15 into what type of dioxins would have been emitted by,
16 for example, open burning of wire?

17 MR. SCHICK: Objection. Form.

18 A. We used the analytical information that we
19 had available to us.

20 Q. (By Mr. Nidel) You didn't compare it to the
21 fingerprint from the baghouse, did you?

22 A. I don't know if that comparison was made or
23 not.

24 Q. Okay. Well, you said that you compared it to

1 the analytical information you had. Was that your
2 intent, to compare it to the analytical information
3 that you had?

4 MR. SCHICK: Objection. Form. Scope.

5 A. It was -- it was our intent that the
6 consultants use the information that was available to
7 them and we believe that they had access to the same
8 information that USMR had.

9 Q. (By Mr. Nidel) But you drafted an analysis
10 of the dioxins. Okay? Or you edited it, and why
11 didn't you include in there a discussion of oh, maybe
12 this looks more like the baghouse dust or I wonder
13 what would happen if you open burn this stuff at an
14 uncontrolled temperature. Why was that not discussed?

15 MR. SCHICK: Same objections.

16 A. If that wasn't discussed, I'm not -- I'm not
17 sure why -- why it wasn't. I believe the consultants
18 had adequate information to come to their conclusion
19 on the speciation.

20 Q. (By Mr. Nidel) Well, you did a thorough
21 review of the dioxin history at the site, didn't you?

22 A. We evaluated it along with our -- with our
23 consultants.

24 Q. Well, you knew that there were these things.

1 Right?

2 MR. SCHICK: Objection. Form.

3 A. I believe that the USMR provided its
4 consultants with relevant information to make its
5 determination.

6 Q. (By Mr. Nidel) Was compliance averaging used
7 at every -- across depth intervals or just across one
8 interval?

9 MR. SCHICK: Objection. Form.

10 MR. NIDEL: What's the objection?

11 MR. SCHICK: It's not called compliance
12 averaging in New Jersey.

13 Q. (By Mr. Nidel) You can answer my question.

14 A. We -- we do -- we calculate for each depth
15 interval a 95 percent upper confidence limit to
16 determine the representative concentration for each
17 constituent by depth interval and by use area.

18 Q. Okay. Do you know what I mean by compliance
19 averaging?

20 A. Generally, yes.

21 Q. Okay. I mean, you were in meetings where you
22 discussed compliance averaging, where your meeting
23 minutes are titled compliance averaging discussion.
24 Right?

1 A. Compliance averaging is more of a remediation
2 tool rather than a remedial investigation tool.

3 Q. Okay.

4 A. We first -- you first determine what the 95
5 percent upper confidence limit is for each constituent
6 by depth interval and then the regulations allow you
7 to use what you're calling compliance averaging to
8 determine what portions of each depth interval at each
9 use area need to be remediated to come up with a final
10 remediated state that's less than the residential
11 cleanup number.

12 Q. Okay. You said that I'm calling it. The
13 only reason I'm calling it anything is because I read
14 it in your documents.

15 A. Yeah.

16 Q. Okay. So is there something else I should
17 call it?

18 A. No, you can -- you can call it compliance
19 averaging. I'm fine with that characterization.

20 Q. Okay. What does the State of New Jersey call
21 it?

22 A. I don't know. I call it compliance
23 averaging.

24 Q. Okay. All right. Well, I'm confused, then.

1 So the upper confidence, 95th percent
2 confidence interval was calculated on each depth?

3 A. On each depth and for each constituent.

4 Q. Okay. For each constituent at each depth.
5 Correct?

6 A. Yes.

7 Q. Okay. And then that was done zero to 6, 6 to
8 12, 12 to 18, et cetera. Is that right?

9 A. Yes.

10 Q. Okay. And then if there was any exceedance
11 of that 95th percent confidence interval that was an
12 exceedance. Is that true?

13 A. That would -- yes.

14 Q. Okay. And you included clean fill when you
15 then -- when you used that compliance averaging, as we
16 call it here in Texas, to determine if the site needed
17 further cleaning. Right?

18 A. Well, it's a process whereby you'll then
19 remove portions of the use area in selected areas that
20 exceed the cleanup concentration, replace that with
21 backfill and clean top soil fill for the top 6 inches
22 at a known concentration and then recalculate your 95
23 percent UCL to determine whether you're in compliance
24 with the residential standard for that particular use

1 area.

2 Q. So I'm trying to understand if you go to a
3 person's home and let's say you're just looking at one
4 depth. Right? You got to take your ten samples
5 because you got a minimum of ten samples. Right?

6 A. We take ten samples per depth interval per
7 use area.

8 Q. Okay. So you take your ten samples and you
9 got, you know, three that are above 400 for lead.
10 Right? And one of them is high enough that it skews
11 that upper confidence limit to be also above 300.
12 Right -- or 400. Sorry. Right?

13 A. Okay.

14 Q. Let's say one is 1,200, one is 401 and one's
15 450. Right? And the rest are all 75. Right?

16 A. Okay.

17 Q. Without doing the math, assuming that that
18 1,200 is high enough to get those 75s up above the
19 400. Right? But what's to stop you from cleaning up
20 the 1,200, putting in clean fill, recalculating the
21 average and then saying you're done?

22 A. The regulations afford that as being an
23 acceptable remediation approach based on DEP tech
24 regs.

1 Q. Okay. Do you recall someone raising a
2 question to you of something like this: What would
3 you do if this was your family and your home? By
4 that, I mean what if it was your three-year-old that
5 was playing in the front yard that was at 450 and your
6 three-year-old had pica?

7 A. My understanding of the way the New Jersey
8 regulations work is that that yard area or -- yard
9 area would be considered clean.

10 Q. Would the mother of that three-year-old
11 consider it clean?

12 MR. SCHICK: Objection. Form.

13 A. I can't project what a mother would consider
14 clean or not.

15 Q. (By Mr. Nidel) Okay. Does USMR consider it
16 clean?

17 A. Based on the scenario you've described, USMR,
18 the LSRP and the State of New Jersey considers that
19 clean.

20 Q. The LSRP was totally fine with that?

21 A. The LSRP -- LSRP is charged with implementing
22 the New Jersey regulations, so I assume that that
23 would be acceptable to the LSRP. We've shared that
24 approach with them.

1 Q. Okay. I'm going to ask a question -- I'm not
2 asking you about regulations. I'm asking you about
3 something that's a little more human. It's called
4 safety. Is that safe for a three-year-old to be in
5 their front yard when the lead level is 450?

6 MR. SCHICK: Objection. Form and scope.

7 Q. (By Mr. Nidel) According to USMR?

8 MR. SCHICK: Same objection.

9 Q. (By Mr. Nidel) Okay.

10 A. In the opinion of USMR if the 95 percent UCL
11 following remediation of that yard was below 400, then
12 that would be considered safe.

13 Q. Did you talk to -- who was your doctor again,
14 McDaniels? Dr. Daniels?

15 A. Dr. McDaniel.

16 MR. SCHICK: Objection. Form.

17 Q. (By Mr. Nidel) Yeah. Did you ask Dr. Mary
18 McDaniel about whether that would be safe for kids to
19 play out in the yard like that?

20 A. I'm not aware that we've posed that question
21 to Dr. McDaniel.

22 Q. But you have Dr. McDaniel on some kind of
23 retainer. Right?

24 A. Dr. McDaniel is under contract to provide

1 information to residents at their request.

2 Q. Okay. Do you think if she's under contract
3 to provide information to residents that she might
4 provide also information to guys like Joe Brunner?

5 A. If USMR asked Dr. McDaniel a question, I'm
6 sure she would provide an answer.

7 Q. Okay. Why didn't you not ask her if it would
8 be safe to continue to allow families with kids to
9 play in their yards with lead levels that were above
10 the New Jersey safe cleanup standard?

11 MR. SCHICK: Objection. Form.

12 A. Repeat that, please.

13 Q. (By Mr. Nidel) Why did you not ask
14 Dr. McDaniel if she thought it would be safe for USMR
15 to continue to allow children to play in yards that
16 were above the cleanup standard?

17 MR. SCHICK: Objection. Form.

18 A. Well, I mean, consistent with the regulations
19 that the DEP imposes, that is considered safe.

20 Q. (By Mr. Nidel) My question was about
21 Dr. McDaniel. I don't -- I don't really love
22 regulations myself. So my question is why didn't you
23 talk to Dr. McDaniel and ask her -- strike that whole
24 thing.

1 Is -- was it USMR's goal to make people's
2 yards safe?

3 MR. SCHICK: Objection. Form. Scope.

4 A. It's USMR's goal to remediate yards in the
5 AOC that exceed residential cleanup standards
6 consistent with New Jersey DEP regulations.

7 Q. (By Mr. Nidel) Okay. So it was not USMR's
8 goal to make those residents' yards safe. Correct?

9 MR. SCHICK: Objection. Form.

10 Q. (By Mr. Nidel) That was not one of their
11 goals. Their goal was to comply with some regulation.
12 It was not to make it safe. Right? Yes or no?

13 MR. SCHICK: Same objection.

14 A. It's our understanding and opinion that the
15 New Jersey regulations are based on standards which
16 are safe. So I believe that USMR's implementation of
17 cleanup plans consistent with New Jersey guidelines
18 and regulations is by definition safe.

19 Q. (By Mr. Nidel) I know you sent
20 questionnaires to people to ask them if they have kids
21 and other things. Right?

22 A. That was an attachment to sampling reports
23 that were sent to residents.

24 Q. Okay. Did you ask them what portions of the

1 neighbor -- of their yards that the kids played in,
2 their favorite spots?

3 A. I don't believe that was one of the questions
4 that was asked.

5 Q. Okay. Was it one of your rules to not allow
6 excessive sampling in clean areas such as those that
7 you had clean fill suspected?

8 A. Say that again.

9 Q. Actually, I know where I'm getting it from.
10 Is it one of the requirements of doing compliance
11 averaging to -- and using the 95th percent upper
12 confidence limit to not -- to avoid sampling --
13 excessive sampling in areas that are known to be clean
14 or known to be different?

15 A. It's my understanding that the sample
16 locations within a particular use area are determined
17 on a random basis and there's not an attempt to
18 include or avoid any particular area. These are --
19 these are ten random samples that are then sampled at
20 each of the depth intervals.

21 Q. And, again, they're random with the exception
22 of the fact that they were designed to avoid --
23 setbacks to avoid lead paint and also arsenic.
24 Correct?

1 A. There were setbacks from the -- from the
2 houses.

3 Q. Okay. Do you agree that -- well, tell me if
4 this is what occurred. As an additional evaluation to
5 be more protective of the public against direct
6 contact issues, the 95 percent upper confidence limit
7 mean will be calculated for the zero to 6 interval to
8 determine if that interval exceeds the SRS for a
9 specific TA. What's -- what's a TA?

10 A. Target analyte.

11 Q. If the zero to 6-inch interval 95th percent
12 upper confidence limit of the mean exceeds the SRS for
13 any TA, any locations in the zero to 6 interval that
14 exceed the SRS will be excavated regardless of
15 the 95th upper confidence limit of the mean
16 calculated?

17 A. Read that again, please.

18 Q. Basically would you agree that if as an
19 additional protection of public health that if the 95
20 percent upper confidence limit for the surface
21 sampling zero to 6 interval exceeds the SRS for a
22 target analyte, that all locations that exceed the SRS
23 for that analyte will be remediated?

24 A. I believe that's generally correct.

1 Q. Okay. So for surface samples that exceed,
2 your testimony is that all the surface samples that
3 exceed would be cleaned up. Is that correct?

4 MR. SCHICK: Objection. Form.

5 A. I believe that's the case.

6 Q. (By Mr. Nidel) Now, if the surface samples
7 did not exceed the upper confidence limit of the mean
8 but did exceed the safety standard, those still would
9 not be cleaned up. Correct?

10 MR. SCHICK: Objection. Form.

11 A. If the -- ask me that question again, please.
12 I'm having a hard time following you.

13 Q. (By Mr. Nidel) Yeah, sometimes I'm like
14 that. If the upper confidence limit of the mean was
15 not exceeded --

16 A. Uh-huh.

17 Q. -- but there were exceedances in individual
18 samples, those samples would not be cleaned up.
19 Correct?

20 A. I believe that's consistent with what the
21 remedial action work plan states.

22 Q. Okay. I'm just asking if that's what
23 happened because that's my question. Is that right?

24 A. I believe that's true.

1 Q. Okay. So those -- the three-year-olds are
2 still out and about on that stuff. Right?

3 MR. SCHICK: Objection. Form.

4 Q. (By Mr. Nidel) Is that right?

5 MR. SCHICK: Same objection.

6 A. I think I've answered that earlier.

7 (Exhibit No. 56 marked.)

8 Q. (By Mr. Nidel) Okay. Hand you Exhibit 56.
9 Exhibit 56 is a letter authored by you to property
10 owners. Is that correct?

11 A. That's what it looks like.

12 Q. Okay. One, two, three -- four paragraphs
13 down it says, When the sampling and laboratory testing
14 are complete, you will receive a copy of the sampling
15 results.

16 A. Hold on. Which paragraph?

17 Q. Fourth paragraph --

18 A. Okay, I see it.

19 Q. -- near the bottom of the paragraph.

20 A. Got it. Uh-huh.

21 Q. -- you will receive a copy of the sampling
22 results and be informed on how they compare to the
23 soil cleanup standards established by the NJDEP. Do
24 you see that?

1 A. I do.

2 Q. Okay. They did not receive the sample
3 results, did they?

4 A. They received the sample results.

5 Q. They received the sample results or they
6 received some hocus-fiddle-pocus average?

7 MR. SCHICK: Objection. Form.

8 A. They receive the 95 percent UCL
9 concentrations of each of the constituents at the
10 various depth intervals.

11 Q. (By Mr. Nidel) Okay. You sent this letter
12 out to them. Right?

13 A. Yes.

14 Q. Okay. Why did you tell them they were going
15 to get the sample results?

16 A. I mean, the -- it's a matter -- it's somewhat
17 semantic. We -- you know, the -- what's driving the
18 cleanup or not cleanup are the 95 percent UCLs.

19 Q. Okay. Now I'm kind of angry because I don't
20 see that it's semantic. If I'm the mother or the
21 father of a three-year-old that's going to go out and
22 play in the yard that could have 400, 450, 500, 600
23 parts per million lead and 25 parts per million
24 arsenic as long as my backyard or the other part of my

1 front yard, if it's too small and it's not a complete
2 UA of its own and I didn't have a big enough side
3 yard, so that little place where Bobby and Jilly like
4 to play is 600 but you didn't tell them that because
5 you just gave them the average.

6 MR. SCHICK: Objection. Form.

7 Q. (By Mr. Nidel) But you told them in a letter
8 that they were getting the sampling results. Right?
9 To me, if Jill is over in the corner digging in the
10 dirt because that's where Jill likes to play with her
11 dump trucks because Jill likes dump trucks, I don't
12 think that's semantic, do you?

13 MR. SCHICK: Objection. Form.

14 Argumentative.

15 A. It's USMR's position that they're provided
16 with the sample results consistent with what's used to
17 determine compliance with the cleanup standard.

18 Q. (By Mr. Nidel) Okay. Did you explain to
19 them that they weren't really getting the results;
20 they were getting some manipulated numbers that you
21 determined should have been logarithmic or Chebyshev
22 polynomials or some other mathematical garbage but not
23 the results?

24 MR. SCHICK: Objection. Form. And you

1 don't have to answer that.

2 MR. NIDEL: You're going to instruct him
3 not to answer?

4 MR. SCHICK: I am going to instruct him
5 not to answer that particular question. It's
6 inflammatory and argumentative. It constitutes
7 harassment.

8 Q. (By Mr. Nidel) Did you give them the sample
9 results?

10 A. We gave them the 95 percent UCL
11 concentrations for each of the constituents by depth
12 interval as is used to demonstrate compliance with the
13 New Jersey cleanup standard.

14 Q. You go on to say, If the testing indicates
15 that soil within one or more yard areas on your
16 property exceeds any the relevant NJDEP soil cleanup
17 standards, USMR will recommend that the soil within
18 impacted yard area(s) on your property be removed and
19 replaced. Do you see that?

20 A. I do.

21 Q. Okay. That's not what USMR intended to do.
22 Correct?

23 MR. SCHICK: Objection. Form.

24 A. (No response.)

1 Q. (By Mr. Nidel) The soil cleanup standard for
2 lead is 400. Right?

3 A. Correct.

4 Q. Okay. You didn't clean up all the lead that
5 was above 400, did you?

6 A. Exceedance of the relevant standard is
7 measured by the 95 percent UCL.

8 Q. Okay.

9 A. And that information was provided to the
10 residents and in those cases where the UCL was
11 exceeded, we offered to clean up the property
12 consistent with our remedial action work plan.

13 Q. Okay. Here's what I want you to do. I'm
14 going to help get the help of my videographer. I'd
15 like for you to hold up the letter that you sent out
16 to all the residents and I'd like for you to show the
17 videographer and the jury where you said that they
18 were going to get a copy of the sampling results after
19 certain numbers were calculated or the upper confident
20 limit was calculated. Where in -- where in that
21 letter, because if you could show us, I'd like to see
22 it.

23 A. Well, I'll show you right there. It's based
24 on the relevant NJDEP soil cleanup standard.

1 Q. Where does it say based on -- with respect to
2 the sample results, where does it say please show the
3 video where it says based on the upper confidence
4 limit or even the New Jersey regs -- because the New
5 Jersey standard is 400. If I read this, and I've got
6 a Master's Degree and a law degree, I would think the
7 New Jersey standard is 400. I read that in all these
8 documents I read, 400.

9 So I guess my question for you is: What's
10 the average level of education in Carteret?

11 MR. SCHICK: Objection. Form. Scope.

12 A. I can't opine on that. I have no
13 information.

14 Q. (By Mr. Nidel) Okay. Well, I'd like for you
15 to show the jury where it says that they're going to
16 be provided something other than the sample results.

17 A. I think the document is quite clear. It says
18 if testing indicates that soil within one or more yard
19 areas on your property exceeds the relevant standard
20 and the measure of exceedance or non-exceedance in a
21 yard area is based on the 95 percent UCL as explained
22 in our remedial action work plan.

23 Q. First of all, I'm still on the other
24 paragraph. I'm asking about a copy of the sampling

1 results. So let's get that to the jury. When did you
2 tell them that they were actually not getting sampling
3 results but some other mathematics that you're giving
4 them?

5 MR. SCHICK: Objection. Form. He's
6 answered the question.

7 MR. NIDEL: He's not. And he's written
8 a --

9 MR. SCHICK: He's not limited to one
10 paragraph.

11 MR. NIDEL: He's written a lot of
12 letters. We have a lot of letters to go through, a
13 lot of them.

14 Q. (By Mr. Nidel) When did you tell the public
15 that they were going to get something other than the
16 sample results?

17 A. The sample results that were provided to the
18 public were the 95 percent UCL as explained on the
19 sample report that was sent to them.

20 Q. As explained to people with a high school
21 degree --

22 MR. SCHICK: Objection. Form.

23 Q. (By Mr. Nidel) -- you gave a 95 percent UCLs
24 and then you told them that if -- you told them they

1 were getting sample results.

2 A. And the sample results which were provided
3 were the 95 percent UCL for each constituent for each
4 depth interval.

5 Q. What did the lab provide?

6 MR. SCHICK: Objection. Form.

7 A. The lab provided sample results for each
8 individual sample location at each depth interval for
9 each constituent.

10 Q. (By Mr. Nidel) Okay. And those sample
11 results were not provided to the public. Correct?

12 A. I think I've already explained what's been
13 provided to the public is the 95 percent UCL of each
14 constituent for each depth interval as is being used
15 to demonstrate compliance or noncompliance with the
16 New Jersey cleanup standard.

17 (Exhibit No. 57 marked.)

18 Q. Okay. I've handed you another exhibit. It's
19 Exhibit 57. It's Bates labeled 2445. Is this a
20 document that USMR sent out to the public?

21 A. I believe that's true.

22 Q. Okay. In the second paragraph it says,
23 middle of second line, Current environmental practices
24 for managing smokestack and other air emissions were

1 not in place during much of the era when the smelter
2 operated. As a result, historical air emissions may
3 have deposited copper, lead, arsenic or other
4 materials in the area nearby the former smelter site.
5 Do you see that?

6 A. I do.

7 Q. Okay. Why didn't you say that they did
8 deposit copper, lead and arsenic in the area nearby
9 the former smelter site? Why didn't you just tell
10 them the truth?

11 MR. SCHICK: Objection. Form.

12 A. I think the verbiage stands as it's stated.
13 It -- you know, historical air emissions may have
14 deposited copper, lead and arsenic in the vicinity.

15 Q. (By Mr. Nidel) We talked about the fact that
16 it did. Right? You agree that it did. You might say
17 it only occurred in Zone 1 but it did deposit arsenic
18 and lead in areas outside the smelter facility.
19 Correct?

20 MR. SCHICK: Objection. Form.

21 A. Again, I think -- I think we've been -- we've
22 been through this. As -- you know, can I say that not
23 one molecule of lead, arsenic or zinc is on the
24 offsite portion of the property, no.

1 Q. (By Mr. Nidel) No, I don't want to play the
2 not one molecule game. Your conclusion was that Zone
3 1 was impacted by your facility, maybe not entirely,
4 maybe not every molecule that you found there, but
5 there were emissions from that facility. That was
6 confirmed by the McVehil model. That was confirmed by
7 your sampling. Correct?

8 A. There is, you know, potentially lead, arsenic
9 and copper in the offsite potentially attributable to
10 the smelter operation.

11 Q. But somewhere, maybe the first little 10
12 feet, there is lead and arsenic attributable to the
13 smelter site. Right? You've determined that much.

14 MR. SCHICK: Objection. Form.

15 A. Again, is it -- is it possible, yes.

16 Q. (By Mr. Nidel) Okay. I believe that you've
17 testified earlier that some of the lead and some of
18 the arsenic came off the site and went into the
19 neighborhood. Right?

20 A. Right, but, you know, at -- undetermined
21 whether those concentrations in and of themselves
22 caused an increase above the soil cleanup standards.

23 Q. I get that. That's a fair point. But you
24 told them that it may have deposited, like it's

1 unknown. You know that it did. You don't know that
2 it did on their yard, you don't know if it did across
3 Roosevelt Avenue, but you know that it did. Right?

4 MR. SCHICK: Objection. Form.

5 A. I don't understand why you're suggesting that
6 statement is not true. Historical air emissions may
7 have deposited copper, lead and arsenic.

8 Q. (By Mr. Nidel) Okay. I may have put arsenic
9 in your drink right there. I don't -- maybe. Does
10 that make a difference to you?

11 MR. SCHICK: Objection. Form.

12 A. (No response.)

13 Q. (By Mr. Nidel) As opposed to telling you I
14 did?

15 A. (No response.)

16 Q. That doesn't make a difference to you?

17 A. I think it's a strange question.

18 Q. Okay. I don't, not when we're talking about
19 a community that was impacted by lead and arsenic. I
20 don't think that's a strange question at all, but I'll
21 go ahead and ask you questions about Exhibit 58.

22 (Exhibit No. 58 marked.)

23 MR. SCHICK: Objection to the sidebar
24 and that event. And there's no question pending.

1 Q. (By Mr. Nidel) Exhibit 58 is a document
2 produced by U.S. Metals Bates labeled 82514. Is that
3 a document that you sent out for information to the
4 public?

5 A. I believe it is.

6 Q. Okay. On the second page, the back there, it
7 says, After the laboratory analysis is complete --

8 MR. SCHICK: Where are you?

9 Q. (By Mr. Nidel) Second page very last
10 sentence above How to Participate. Sorry.

11 A. Oh, okay.

12 Q. After the laboratory analysis is complete,
13 USMR will provide to each homeowner a summary of the
14 sampling results for his or her property. Did you
15 provide a summary of the sampling results?

16 A. We provided the 95 percent upper confidence
17 limit information for each constituent for each depth
18 interval.

19 Q. Okay. Did you provide -- are you done?

20 A. Sure.

21 Q. Did you provide the sample results that the
22 lab provided you?

23 A. We did not provide sample results that the
24 lab provided. I told you what we provided.

1 Q. Oh, what's -- yeah, I didn't mark it. That
2 might help. Let me mark that for you so we've got a
3 record of it.

4 A. Okay.

5 (Exhibit No. 62 marked.)

6 Q. I'm going to hand you Exhibit 62. It's a
7 document provided by U.S. Metals Bates labeled --

8 MR. SCHICK: Excuse me. Wait. You said
9 62? What happened --

10 MR. NIDEL: Oh, oh, I skipped -- I did
11 say 62.

12 MR. SCHICK: Okay. Then we'll come back
13 to it.

14 MR. NIDEL: Sorry about that.

15 MR. SCHICK: That's all right.

16 MR. NIDEL: I'm glad you caught me. But
17 it is 62.

18 Q. (By Mr. Nidel) Bates labeled 836336. It's
19 got an e-mail from William Cobb to James Telle. Who
20 is James Telle or Telle?

21 A. That would be James Telle. Mr. Telle is our
22 manager of external communications.

23 Q. Okay. And just for clarity you got William
24 Cobb. What's William Cobb's e-mail address?

1 A. It's probably wcobb@fmi.com.

2 Q. Okay. Freeport-McMoRan, Inc.?

3 A. FMI is our --

4 Q. Domain?

5 A. -- domain. I don't --

6 Q. Did you -- so what is -- what is Exhibit 62?

7 A. It looks like a draft of a Carteret soil
8 program media statement.

9 Q. Okay. And at the bottom of the media
10 statement it says, USMR will offer free soil cleanup
11 to the owners of any of these properties with metals
12 above NJDEP-approved levels that are attributable to
13 USMR under the oversight of appropriate authorities.
14 Do you see that?

15 A. I do.

16 Q. Okay. So the NJDEP-approved levels are for
17 lead 400, for arsenic 19. Correct?

18 A. That's correct.

19 Q. Okay. So that's not what was done. Right?

20 A. What do you mean?

21 MR. SCHICK: Objection. Form.

22 A. Done when?

23 Q. (By Mr. Nidel) Anybody that had a level
24 above 400 for lead was not cleaned up. Right?

1 MR. SCHICK: Objection. Form.

2 A. The compliance with the NJDEP-approved levels
3 is measured by the 95 percent UCL.

4 Q. (By Mr. Nidel) It's not what the -- that's
5 not what the outreach to the community says, is it?

6 A. Which outreach to the community?

7 Q. That we just read, the outreach that you're
8 reading.

9 MR. SCHICK: Precisely what he read.

10 Q. (By Mr. Nidel) NJDEP-approved levels. It
11 doesn't say averages, it doesn't say according with
12 the regs, it doesn't say any of that. It says
13 approved levels and I believe you sent out the levels,
14 as well, saying they were 400 and 19, didn't you?

15 A. I mean, the statement is accurate. USMR will
16 offer free soil cleanup to the owners of any of these
17 properties with metals above NJDEP-approved levels
18 that are attributable to USMR under the oversight of
19 the appropriate authorities. And the determination
20 for whether those metals are above NJD-approved [sic]
21 levels is the 95 percent UCL.

22 Q. Okay. We'll let a jury decide whether they
23 agree that that was the case.

24 (Exhibit No. 59 marked.)

1 Q. I handed you Exhibit 59. Exhibit 59 is an
2 e-mail from William Cobb to James Telle. I forget how
3 you said to pronounce that. Sorry.

4 A. Telle.

5 Q. Telle. 836332 is the Bates number. He's got
6 a couple of edits. Is that -- what is that document?

7 A. It appears to be a draft of a newspaper ad
8 that was placed by USMR.

9 Q. Do you know if that ad ever went out?

10 A. I believe it did.

11 Q. Okay. It says in the middle of the main
12 paragraph, USMR will continue to investigate the
13 off-site area until the extent of soil with metals or
14 any other materials associated with the smelter
15 detected above NJDEP standards is determined. Do you
16 see that?

17 A. Yes.

18 Q. And then it says, USMR will work with
19 affected property owners to remove soil impacted above
20 NJDEP residential soil standards and replace it with
21 clean soil to minimize potential impacts to human
22 health and the environment. Do you see that?

23 A. I do.

24 Q. Okay. To remove soil impacted above NJDEP

1 residential soil standards, what is the NJDEP
2 residential soil standard for lead?

3 A. It's 400 parts per million as -- based on
4 a 95 percent UCL.

5 Q. Okay. So if I look up residential soil
6 standards for New Jersey it's going to say 400 ppm,
7 footnote, based on 95 percent confidence limit?

8 MR. SCHICK: Objection. Form.

9 Q. (By Mr. Nidel) Is that what it's going to
10 say?

11 A. It will probably say 400 ppm and you have to
12 dig down further into -- into the guidance documents
13 on how that 400 is determined and I believe that would
14 then reference to the 95 percent UCL as a way to
15 demonstrate compliance.

16 Q. How many people in the town of Carteret
17 reading the whatever newspaper this went to, how many
18 of them read those regs?

19 A. I don't know.

20 Q. Okay. Do you assume they read the regs?

21 A. I don't know.

22 Q. Why didn't you just tell them that you're
23 going to take an average of high numbers and low
24 numbers and if the low numbers won they didn't get

1 anything, if the high numbers won out they got
2 cleanup?

3 MR. SCHICK: Objection. Form.

4 A. I think we're -- we're clear that, you know,
5 the standards is what's being evaluated against.

6 (Exhibit No. 60 marked.)

7 Q. (By Mr. Nidel) All right. Well, I'm going
8 to hand you some more exhibits. I only have this copy
9 of this one. It's Exhibit 60. It's Bates labeled
10 USMR 834109. And if you go -- well, you can confirm
11 that that's what it is, I guess. You can show it to
12 your counsel if you need to.

13 A. Yes.

14 Q. There's a highlighted portion on there. Can
15 you read that highlighted portion?

16 A. On Page 2?

17 Q. Yeah.

18 A. Our analytical team assesses whether or not
19 the metal concentrations exceed state standards. In
20 either case, our outreach office then shares the
21 results of soil tests with property owners.

22 Q. Okay. Your outreach office actually didn't
23 share the results of the soil tests with the
24 homeowners. Right?

1 MR. SCHICK: Objection. Form.

2 A. We shared the results as determined by the 95
3 percent UCL.

4 (Exhibit No. 61 marked.)

5 Q. (By Mr. Nidel) Okay. I'm going to hand you
6 Exhibit 61. Exhibit 61 is a document provided by
7 USMR. It's Bates labeled 834244 and I believe this
8 was from one of your open houses. Right?

9 A. Yes.

10 Q. Okay. And your open houses were something
11 you advertised for people to come and learn about your
12 program in town?

13 A. That's correct.

14 Q. Okay. If you go to the third page, which is
15 the second page, in the middle it says, How Soil is
16 Cleaned Up. If soil testing indicates one or more of
17 the sampled yard areas have concentrations of metals
18 that exceed state cleanup levels, impacted soil will
19 be removed and replaced at no cost to the property
20 owner. Do you see that?

21 A. I do.

22 Q. Okay. If you'd turn to the back of that
23 page. It says, bottom bullet point there, it says,
24 Property owner will receive a letter with results and

1 analysis -- results and analysis of the soil testing,
2 typically within eight to 12 weeks from the date
3 samples were collected. Do you see that?

4 A. I do.

5 Q. Is there a distinction between results and
6 analysis?

7 A. In my opinion here, I mean, the results would
8 be the concentrations as expressed by the 95 percent
9 UCL. The analysis would be determination of whether
10 that particular UCL exceeds or does not exceed
11 standards.

12 Q. Did you explain that at the open house, that
13 people were not actually getting hotspots cleaned up;
14 they were getting only areas cleaned up if average of
15 those tests were above a certain number?

16 MR. SCHICK: Objection. Form.

17 A. I don't recall if that was a specific
18 question by or from any of the participants at the
19 open house.

20 Q. (By Mr. Nidel) Okay. I'm not asking if they
21 asked. I'm asking if you explained it to them.

22 A. I don't believe that it was explained.

23 MR. SCHICK: Maybe we should take a
24 break.

1 MR. NIDEL: Okay. I realize that my
2 bladder was calling for breaks early.

3 THE VIDEOGRAPHER: Off the record. It
4 is 4:06. This is the end of Tape 5.

5 (Break.)

6 THE VIDEOGRAPHER: Okay. We are back on
7 the record. It is 4:22 and it's the beginning of Tape
8 6.

9 (Exhibit No. 63 marked.)

10 Q. (By Mr. Nidel) I'm going to hand you Exhibit
11 63. Can you identify Exhibit 63?

12 A. It's a draft of the remedial action work plan
13 addendum boundary evaluation soil sampling program.

14 Q. Okay. Has that addendum been finalized?

15 A. I believe it has.

16 Q. Okay. If you turn to Page 2, Page No. 2 it
17 says, Because extrapolation techniques --

18 MR. SCHICK: Wait. Where?

19 Q. (By Mr. Nidel) Sorry. Yeah, yeah, yeah.

20 First full paragraph, second sentence, Because
21 extrapolation techniques were used to estimate the
22 limits of the Off-Site AOC, the Off-Site Area of
23 Concern RIR provided that the extent of the Off-Site
24 AOC would be subsequently verified by laboratory

1 analysis in accordance of the NJDEP Soil Guidance. Do
2 you see that?

3 A. I do.

4 Q. I'm trying to understand what the
5 extrapolation techniques were that were used.

6 A. Again, this goes back to what we've generally
7 been talking about all day, you know, the
8 extrapolation techniques were the combination of the
9 McVehil model and the ISDA work that showed the
10 concentrations of the constituents of concern were
11 expected to decrease as you -- as we moved away from
12 the source.

13 Q. Okay. So it's basically the air model that
14 was done?

15 A. The air model and the subsequent ISDA
16 sampling.

17 Q. Okay. But as far as extrapolation
18 techniques, what was -- I mean, the sampling is the
19 sampling. Right? So what was extrapolated?

20 A. The showing that the concentrations within
21 the ISDA decreased as you moved away from the -- from
22 the facility boundary.

23 Q. Okay. At this point in time -- this is a
24 draft, this is a current sort of November 2016, you

1 had the sample results or at least currently you have
2 the sample results for the rest of the AOC. Right?

3 A. For -- in -- in November 2016 we had sample
4 results for a good portion of the AOC. So we still
5 don't have samples for the entire AOC.

6 Q. But you weren't -- you weren't using those
7 samples to do this extrapolation, were you?

8 A. That's correct.

9 Q. Okay. If you turn to the Page 3, there are
10 some discussion of the McVehil model. It says, The
11 air dispersion model concentration decline curves --
12 decline? Okay. Maybe it's the air dispersion model
13 concentration decline curves with distance for two
14 scenarios. Did I read that right?

15 A. You're putting the emphasis on the wrong
16 decline. It's a -- a decline curve is one that
17 decreases exponentially with distance from the source.
18 That's what I was referring to as a decline curve.

19 Q. That's what I thought at first, but then when
20 I read decline curves with distance that didn't make
21 sense to me. That's why I stopped, but this is a
22 draft, so I don't know if that was corrected but we'll
23 go with the words that are on the page. Decline
24 curves with distance for two scenarios: Scenario 1

1 representing air dispersion from historically short
2 (100 foot) stack; and Scenario 2 representing air
3 dispersion from a historically taller stack (225 feet)
4 were used. Do you see that?

5 A. I do.

6 Q. What -- what stacks were used for the McVehil
7 model?

8 A. I think it says on this paragraph, there were
9 two scenarios, one using the hundred-foot stack and
10 another one using the 225-foot stack.

11 Q. The reason I ask is because I think earlier
12 you said that it was -- they used the 400-foot stack.

13 A. Yeah, I was -- I was not recalling correctly
14 what was used.

15 Q. And I -- that's fine, but we had a record
16 that said 400. That's why I asked what may appear to
17 everyone in the room as a smart question, but it
18 wasn't meant that way.

19 It goes on to say, Based on this evaluation,
20 the following conclusions were made: The metals ratio
21 (arsenic to copper and lead to copper) provide
22 evidence of potential smelter impacts and also allow
23 alternate sources to be distinguished. Okay. This is
24 why I've asked you lots of questions about these

1 ratios.

2 A. Uh-huh.

3 Q. And what I'm trying to understand is why are
4 you or your consultant, by saying that the metals
5 ratios (arsenic to copper and lead to copper) provide
6 evidence of smelter impacts, what is the -- is there a
7 range of those ratios, is there above a certain level?
8 What about those ratios provides evidence of smelter
9 impacts?

10 MR. SCHICK: And again, I'll object and
11 this calls for expert testimony, but you can answer to
12 the extent you're able.

13 A. I can try to explain it in very general
14 terms.

15 Q. (By Mr. Nidel) Please.

16 A. I mean, the various metal ratios of the three
17 contaminants of concern to one another should provide
18 a general, you know, I'll use the word fingerprint for
19 emissions that are attributable to the smelter.
20 Whereas, if there are samples when you apply those
21 ratios that deviate considerably from those ratios,
22 that would be one line of evidence that that
23 particular sample is not derived from the smelter.
24 For example, there could be a very high lead-to-copper

1 ratio, for example, that is completely different from
2 the lead-to-copper ratio in the majority of the
3 samples. That would indicate that there's another
4 source of lead that was contributing to that high lead
5 level.

6 Similarly, the same thing with arsenic. If
7 there was a level of arsenic to -- in a, say, an
8 arsenic-to-copper ratio that was considerably higher
9 than the arsenic-to-copper ratio in the majority of
10 the samples, that would indicate that the likely
11 source of that particular sample was not something
12 that came from the smelter. So that's -- it's one
13 line of evidence that we're using to tease out, if you
14 will, impacts that may have come from the smelter from
15 impacts that likely did not come from the smelter.

16 Q. Okay. But you don't know what the ratio of
17 these metals were -- was that came from the smelter.
18 Right?

19 A. Off the top of my head, I don't -- I don't
20 know that information.

21 Q. And I'm not asking you off the top of your
22 head. I'm asking you -- if you need to look up a
23 document, I got lots of documents, but I want to know
24 what this means because you told me the best indicator

1 of smelter impacts from a copper smelter would be
2 smelt -- would be copper. Right? That was the last
3 thing we talked about before lunch.

4 A. Yes.

5 Q. And it's my understanding that many -- many
6 of the sources on site, including some of the
7 significant sources on site, emitted more lead or zinc
8 than they did copper because copper was being sold for
9 dollars and lead was not.

10 MR. SCHICK: Objection. Form.

11 Q. (By Mr. Nidel) Does that make sense?

12 A. There -- I mean, there was still copper in
13 the stack in fugitive emissions regardless of whether
14 copper was the salable product of the facility. I
15 mean, no process is 100 percent efficient on producing
16 any particular constituent.

17 Q. Right. And now my question is, as it's been,
18 because of this statement what was the ratio of
19 arsenic to copper emitted from the facility?

20 MR. SCHICK: Same objection as earlier.

21 A. Yeah, as this is a study that's continuing, I
22 don't know that information at this time. I mean,
23 once -- once we complete our study, then this
24 information will be available.

1 Q. (By Mr. Nidel) When you complete your study
2 the information about what the facility emitted in
3 terms of arsenic versus copper --

4 A. The boundary evaluation, which pulls -- which
5 is going to pull all of this information together.

6 Q. I mean, I just don't understand. The
7 assumption here seems to be that copper is coming out
8 of high level and if you've got more lead than you've
9 got copper, that must not be from the smelter because
10 it's a copper smelter and copper smelters release a
11 lot of copper. Is that your opinion?

12 MR. SCHICK: Objection. Form.

13 A. Are you implying that copper and lead come
14 out at a one-to-one ratio or --

15 Q. (By Mr. Nidel) No. I'm implying like you're
16 implying that they come out at some ratio.

17 A. And I think that it does come out at some
18 ratio. I just don't have that particular ratio
19 available to me right now.

20 Q. Okay. Did it come out at that same ratio all
21 the time for 85 years?

22 A. I don't know.

23 Q. Did it come out at that same ratio from every
24 pile, every fugitive rooftop and every stack or not?

1 A. I don't know.

2 Q. Okay. Did it come out at every stack with
3 the same particle size for lead as the particle size
4 for copper?

5 A. I don't know.

6 Q. Same questions with arsenic, you don't know?

7 A. Same answers for arsenic.

8 Q. Okay. So how can you rely on these ratios
9 when you don't know when they came off, where they
10 came off, at what particle size distribution each of
11 those came off and in what ratio they came off?

12 MR. SCHICK: Objection. Form. Calls
13 for expert testimony.

14 A. I was going to respond that this is something
15 that's best left to the experts once the report is
16 completed.

17 Q. (By Mr. Nidel) Okay. I'm not asking you for
18 an expert opinion in this case. I'm asking you as
19 someone who has worked to convince an LSRP that you're
20 doing the right thing and you're cleaning up the site
21 and you've identified everything you're responsible to
22 do under the regs. You're telling the regulatory
23 agency that this metals ratio provides evidence of
24 smelter impacts and I'm trying to understand what your

1 basis is for saying that.

2 MR. SCHICK: Objection. Form.

3 A. Again, that's probably a question best
4 answered by our experts who, you know, prepared this
5 work plan and, you know, presumably the LSRP agreed
6 with the approach based on the information provided.

7 Q. (By Mr. Nidel) Okay. The third -- the
8 bottom bullet point there, it says, A highly
9 conservative estimate was developed using air
10 dispersion model. Is that the McVehil model?

11 A. I believe it's a combination of the McVehil
12 model combined with the offsite sampling data that had
13 been obtained up until the time this draft was
14 prepared.

15 Q. And how -- what made that highly
16 conservative?

17 A. I'm not -- I'm not sure what -- what made it
18 highly conservative, but it was the opinion of our
19 experts that helped prepare this and that did the
20 preliminary analysis of the combination of the McVehil
21 model with the soil data that the recommendations of
22 the distance of the transects was conservative.

23 Q. You reviewed and edited this. Right?

24 A. I reviewed it. I'm not sure how much editing

1 I might have done to it.

2 Q. You provided comments and redlines. Right?

3 A. I may have provided comments on the document.

4 Whether I provided them with a specific paragraph, I
5 don't recall.

6 Q. Okay. Well, did you ask them well, why was
7 it highly conservative?

8 A. I don't recall whether they were asked that
9 or not.

10 Q. Okay. Then they go on to estimate sampling
11 transects 500 meters past the outer edge. Right?
12 Does that 500 meters come from the fact that Roosevelt
13 Avenue was about .6 miles, or about a kilometer, from
14 the smelter and then 500 meters, that that McVehil
15 model estimated about a 1,600-meter decline curve?

16 A. Say that again, please.

17 Q. Well, what's the basis for 500 meters?

18 A. Well, there was -- there was figures that
19 are, you know, attached to this in the final version
20 that -- and I've explained where that 500-meter limit
21 came from.

22 Q. Did it come from the McVehil modeling?

23 A. It came from a combination of the McVehil
24 model and the actual AOC sampling data that was

1 available.

2 Q. What in the AOC sampling data told you 500
3 meters?

4 A. We used the metals ratio of all of the
5 samples within the AOC and superimposed the McVehil
6 model onto that to project out where it was likely
7 that there would be no smelter impacts on a very
8 conservative basis.

9 Q. What about those metals ratios and all that
10 other stuff told you 500 meters?

11 A. I think it would be best to refer to the
12 figures that were attached to the final version of
13 this and that will -- that will explain where that
14 number was derived from.

15 Q. It will explain that?

16 A. I believe there was some charts in there
17 showing -- showing where that number came from.

18 Q. Okay. Do you have a copy of that document?

19 A. Not on me.

20 Q. Okay. I'm going to hand you Exhibit 25 from
21 yesterday. Exhibit 25 is a document produced by USMR
22 Bates labeled 752568, Supplemental Emissions Data and
23 Calculations of Risks. Is that fair?

24 A. That's what it's titled, yes.

1 Q. Okay. Have you reviewed this document
2 before?

3 A. I've seen the document. I can't say I've
4 reviewed it in detail.

5 Q. Did you provide it to your consultants?

6 A. I don't know whether this was provided to the
7 consultants or not.

8 Q. All right. If you turn to Page -- I'm just
9 going to go by the last three of the Bates number. It
10 would be 579. It's got air contaminants from source
11 No. 1 of five sources. Just for background, the
12 emissions prior to control and post-control are given
13 there estimated at -- before this control was put on
14 at over 3,000 pounds per hour. Okay. And then it
15 gives a breakdown of the metal oxides that were
16 emitted, zinc, combined zinc oxides, zinc chloride and
17 zinc oxide of 10 and 2 or 10 and 2.9 and then lead
18 being the next highest. Right?

19 A. That's what this table says, yes.

20 Q. And copper is roughly 60 percent of the lead?

21 A. Thereabouts. Maybe -- maybe a little higher.

22 Q. Okay.

23 A. Including copper oxide and copper sulphate.

24 Q. And zinc is by far the dominant pollutant

1 coming out at that point. Correct?

2 A. For this particular assay, it's the largest
3 component.

4 Q. Okay. Do you know what that point source is
5 on the site?

6 A. I don't know what source No. 1 is without
7 finding where it is in this. Is there anywhere in the
8 document where the sources are identified by number?

9 Q. Well, I can tell you if you look on the third
10 page of the document it says -- it gives you some
11 guidance as to that. So this is Page 1 and what it
12 says is -- oh, it says, In Attachment 1, so it looks
13 like it's from the cupola furnace according to
14 Paragraph 1 on Page 3.

15 A. Paragraph 1 on Page 3.

16 Q. Do you see it's Attachment I?

17 A. I see Attachment I, but I'm not finding
18 Attachment I in the -- oh, here we go. Okay. Yeah,
19 that's --

20 Q. Okay?

21 A. I'm with you now.

22 Q. Okay. So that's one of the main sources as
23 you understand it. Correct?

24 A. It's one of the sources as I understand it,

1 yes.

2 Q. One of the main ones. Right?

3 A. I believe that's the case, but I think
4 Mr. Fenn probably may have clarified that yesterday.

5 Q. Well, that's the one that McVehil modeled.
6 Correct?

7 A. The -- I believe McVehil modeled the stack
8 associated with the cupola furnace.

9 Q. Right, which is -- okay. And that's also
10 what the Arcadis modeled for dioxin. Correct?

11 A. I believe that's true.

12 Q. Okay. And if you turn to Page 586?

13 A. (Complying.) 586. Uh-huh.

14 Q. There's another source, the West converter,
15 over 2,000 pounds before controls and, again, we see
16 zinc at 7 pounds per hour and lead at 5.2 pounds per
17 hour. Do you see that?

18 A. I do.

19 Q. Okay. And then copper is coming out at .87
20 plus your other at .66. Right?

21 A. Yes.

22 Q. Okay. So copper and zinc far exceed the
23 amount of copper -- sorry, lead and zinc far exceed
24 the amount of copper that's coming out of that

1 converter. Correct?

2 A. For purposes of this sample, yes.

3 Q. Okay. Do you have any reason to question
4 that sample? Do you have another sample that gave you
5 better information that you provided to your
6 consultants?

7 A. No. I'm just saying that, you know, that the
8 numbers you're quoting pertain to this sample and this
9 measurement.

10 Q. Do you know how much variability there was in
11 the West converter or the cupola's emissions of those
12 metals?

13 A. I do not.

14 Q. Okay. Do you know if -- on a given day what
15 the ratio of those metals was coming out given the
16 meteorological data as far as which way the wind was
17 blowing, if it was in the critical zone into the
18 neighborhood or if it was blowing to Staten Island?
19 Do you know what the metals ratio was when it blew
20 into the neighborhood versus when it blew into Staten
21 Island?

22 MR. SCHICK: Objection. Form.

23 A. I don't -- I'm not sure I understand the
24 question, but I don't think that the meteorological

1 conditions would have impacted the relative ratios of
2 any of the metals in stack emissions.

3 Q. (By Mr. Nidel) Okay. But the feed and the
4 temperature and the operation of the baghouse would
5 have affected the metals ratios coming out of the
6 stack. Right?

7 A. Generally speaking, if there's variations in
8 feed, then there would be variations in emissions.

9 Q. Okay. And there was variations from day to
10 day in feed. Correct?

11 MR. SCHICK: Objection. Form.

12 A. I suspect that there were, you know,
13 variations to some degree on a day-to-day basis.

14 Q. (By Mr. Nidel) Okay. So my point is if on
15 Sunday it's blowing one way you're going to get one
16 ratio and on Tuesday if it's blowing the other way
17 you're going to get a different ratio. Right?

18 A. I would agree with that.

19 Q. Okay. I'm going to hand you Exhibits 20
20 through 23.

21 MR. SCHICK: What did you say?

22 MR. NIDEL: 20 through 23.

23 MR. SCHICK: Yep, yep.

24 Q. (By Mr. Nidel) Can you identify Exhibits 20,

1 21, 22 and 23 for the record?

2 A. Exhibit 20 is an interoffice memorandum from
3 Mr. -- or to Mr. A. Filiaci from R. Dunk with various
4 people copied and the subject is converter fugitive
5 emissions dated December 19th, 1979. Exhibit 21 is a
6 document dated March 21st, 1979, again an interoffice
7 memorandum to a Mr. M. Hauser from R. Dunk with copies
8 to it looks like several other gentlemen; subject:
9 Total particulate and heavy metal loss factors
10 resulting from cupola fugitive emissions.

11 Exhibit 22 is an interoffice memorandum dated
12 March 23rd, 1979, again to a Mr. M. Hauser from
13 R. Dunk copying several gentlemen; subject: Total
14 particulate concentrations, trace metal concentrations
15 and fugitive dust emission factors at the cupola
16 baghouse pelletizer area.

17 And lastly, Exhibit 23 is an interoffice
18 memorandum dated April 4th, 1979 to Mr. M. Hauser and
19 from Mr. Dunk copying several gentlemen; subject: Air
20 concentrations and fugitive emission rates in the
21 general vicinity of the tough pitch anode furnace
22 boiler floor.

23 Q. Okay. Did you -- have you seen these
24 documents before?

1 A. I'm not sure I've seen all of them. I do
2 recall seeing Exhibit 21 because of the picture on it
3 and I don't recall seeing 22 or 23. I may have, but I
4 don't recall seeing them.

5 Q. When did you see 21?

6 A. It was likely during my review of documents
7 in preparation for the deposition today.

8 Q. Okay. Had you provided these documents
9 previously to your consultants?

10 A. I'm not -- I'm not aware whether or not these
11 documents have been provided to consultants.

12 Q. To the best of your knowledge, you don't know
13 of the fact that they were provided to them. Is that
14 fair?

15 A. To the best of my knowledge, I don't know
16 whether they were or were not provided.

17 Q. Okay. You did not provide them to the
18 consultants. Correct?

19 A. I did not provide these documents to the
20 consultants.

21 Q. Okay. If you look at 21, for example?

22 A. Yes.

23 Q. The average fugitive emissions on Page 4, you
24 see the zinc emissions are 27.3, copper is 4.2 and

1 lead is 17.6. Do you see that?

2 A. I do.

3 Q. Okay. If you turn to the next page, during
4 the excessive emissions the zinc was 127, the copper
5 was 21.3 and then the lead was 38.3. Do you see that?

6 A. I see it.

7 Q. If you look at Exhibit 22?

8 A. Yes.

9 Q. And you look at the air concentrations on
10 Page 3?

11 A. I see it.

12 Q. You've got zinc, copper and lead of 3.9 and
13 1.1. Then you got zinc, copper and lead of 5.9, 1.2
14 and 2.6, and you've got zinc, copper and lead at 10.4,
15 10.2 and 33.5 for lead. And then you've got zinc,
16 copper and lead at 1.5, .4 and .7. Do you see that?

17 A. I see those numbers, yes.

18 Q. Okay. Those are all coming from the same
19 source. Right?

20 A. It looks like they're coming from the same
21 source under different operating conditions and
22 different sampling locations.

23 Q. So if one went out at noon with the wind
24 blowing west and you get one ratio and one goes out at

1 1:30 and the wind is blowing east you get a different
2 ratio to the east. Right?

3 MR. SCHICK: Objection. Form.

4 A. Generally speaking, what you're -- what
5 you're saying is correct, there would be different
6 concentrations depending on which way the wind was
7 blowing under different operating conditions.

8 Q. (By Mr. Nidel) Okay. I think we've
9 established, but I want to make sure we've
10 established, nothing was done to try and assess what
11 the ratio of emissions was on any kind of macro scale
12 or micro scale as part of your conceptual site
13 modeling or your Geosyntec assessment of metals
14 ratios. Correct?

15 A. You got to say that again.

16 Q. Okay. There was no effort made to determine
17 the metals ratio of the -- emitted by the facility.
18 Correct?

19 MR. SCHICK: Objection. Form.

20 A. I believe the Geosyntec work focused on the
21 metal ratios in the offsite AOC.

22 Q. (By Mr. Nidel) Okay. So there was no effort
23 made to determine the metals ratios from the source of
24 the potential contaminants. Right?

1 MR. SCHICK: Objection. Form.

2 A. I believe the Geosyntec work focused on the
3 metals ratios in the offsite AOC.

4 Q. (By Mr. Nidel) Okay. So the Geosyntec work
5 and no other work focused on the metals ratios coming
6 from the facility itself. Correct?

7 MR. SCHICK: Objection. Form.

8 A. I believe that's correct.

9 Q. (By Mr. Nidel) I hand you Exhibit 19.
10 Exhibit 19 is the Metallurgical Operations at U.S.
11 Metals Refining, Carteret, New Jersey, Bates labeled
12 769256. Is that right?

13 A. That's correct.

14 Q. Have you reviewed this document before?

15 A. I do not recall seeing this document.

16 Q. Okay. I would think that would mean you did
17 not provide it to your consultants?

18 A. I don't know whether the consultants were
19 aware of this document or not. All I know is I did
20 not provide it to them.

21 Q. Okay. Did U.S. Metals provide it to them?

22 A. Not that I'm aware of.

23 Q. Okay. If you turn to -- we'll go by Bates
24 number -- 313. There's a diagram there of the small

1 insulated wire furnace in the present operations and
2 it's emissions I think were -- I don't know if it's
3 384 pounds per hour going through some control systems
4 there. Do you see that?

5 A. I do.

6 Q. And then below the proposed was to have the
7 small insulated wire at 384 pounds per hour and the
8 new insulated wire furnace at 910 pounds per hour
9 emitting through the 400-foot stack as well. Right?

10 A. Yeah, eventually after passing through the
11 scrubber and the Cottrell precipitator, but yes,
12 that's correct.

13 Q. Do you know what the congener profile of
14 dioxins was that was coming out of that 400-foot
15 stack?

16 MR. SCHICK: Objection. Form. Calls
17 for expert testimony.

18 Q. (By Mr. Nidel) During the time of these wire
19 furnaces?

20 MR. SCHICK: And scope.

21 A. I -- I don't know.

22 Q. (By Mr. Nidel) Would that be relevant to an
23 assessment of whether the dioxins that you found
24 offsite were related to operations on the facility?

1 A. Say that again, please.

2 Q. Yeah. Would knowing what the congener
3 profile was when the wire furnace was hot, would that
4 be informative or helpful, important, to know for
5 assessing the fingerprint that you found offsite for
6 dioxins?

7 MR. SCHICK: Objection. Form. Beyond
8 the scope.

9 A. It could have been important, but I believe
10 that the congener information that was used in our
11 delineation work was determined by our consultants and
12 by the LSRP to be adequate and representative of
13 historic operations and allowed the delineation to be
14 completed.

15 Q. (By Mr. Nidel) Did you tell your consultants
16 or the LSRP that there were 330 or 384 plus 910 pound
17 per hour coming from insulated wire furnaces through
18 various controls and out that 400-foot stack?

19 MR. SCHICK: Objection. Form and scope.

20 A. I'm not aware that this document was provided
21 to our consultants.

22 Q. (By Mr. Nidel) Okay. And do you know how
23 many years this -- either one of these setups, the
24 present or proposed setups, were cooking onsite?

1 MR. SCHICK: Objection. Form and scope.

2 A. No, I don't.

3 Q. (By Mr. Nidel) If you turn to Page 318?

4 A. (Complying.)

5 Q. There's a summary there of the emissions that
6 we just saw, basically total particulate matter, metal
7 oxides and ashes of the 384 plus the 910. Do you see
8 that?

9 A. Where is that now?

10 Q. It's the bottom table there, Emissions?

11 A. Okay.

12 Q. It's got the 384 without control, the 910
13 without control, and then a total of 1,294. Do you
14 see that?

15 A. I do see that.

16 Q. Okay. And then the description of the raw
17 materials is scrap copper wire with entire range of
18 insulations such as fabrics, varnishes, plastics,
19 rubber, et cetera. Do you see that?

20 A. I see where it says that.

21 Q. Did you review Mr. Dunk's modeling of odorous
22 emissions from the property?

23 A. Of odorous emissions?

24 Q. Yeah.

1 A. I am not sure that I did.

2 Q. Well, never too late.

3 A. Okay.

4 Q. I hand you Exhibit 26 to your deposition.

5 Exhibit 26 is a Study of USMR's Odorous Emissions

6 Bates labeled 735937 by Richard Dunk. Is that right?

7 It's dated November 1980. It's on the inside page.

8 A. Yes.

9 Q. Okay. Have you ever reviewed that document?

10 A. I don't recall ever seeing this document.

11 Q. Is it fair to say that you did not provide

12 that to your consultants?

13 MR. SCHICK: Objection. Form.

14 A. I don't know whether this document was

15 provided to our consultants or not.

16 Q. (By Mr. Nidel) Okay. If you turn to Page 2.

17 A. (Complying.)

18 Q. There's a diagram there of the -- I assume

19 the current cupola operation with the sampling points

20 and it shows the cupola going to 80-foot stack on one

21 train and a 110-foot stack on the other. Do you see

22 that?

23 A. Where? Help me here.

24 Q. The bottom of the diagram there. It shows

1 two stacks.

2 A. I see the --

3 Q. Baghouse No. 1 goes to the 80-foot stack and
4 baghouse No. 2 goes to the 110-foot stack.

5 A. Where's the -- where's the 110-foot stack?

6 Q. Are we on the same diagram?

7 MR. SCHICK: All the way to the left is
8 where he's trying to direct your attention.

9 Q. (By Mr. Nidel) 110-foot stack is on the
10 left.

11 A. Is it labeled as 22? Is that the --

12 Q. The 110-foot stack is right here, stack --
13 there's one stack and there's another stack
14 (indicating).

15 A. Oh, so is that the hundred -- is that the --

16 Q. No, no.

17 A. Help me.

18 Q. They're not drawn to scale.

19 A. Okay.

20 Q. They're clearly not drawn to scale.

21 A. Oh, okay. I'm sorry.

22 Q. Mr. Dunk didn't draw things to scale.

23 A. I'm normally very good at looking at
24 diagrams.

1 Q. Are you with me now?

2 A. Yeah. Repeat the -- repeat the question.

3 Q. I'm just asking does this clarify as to what
4 the stack height was for the cupola in 1980?

5 MR. SCHICK: Objection. Form. Beyond
6 the scope.

7 A. Yeah, that's what's represented in this
8 drawing as being present at 1980 time frame.

9 Q. (By Mr. Nidel) Okay. And we see -- if you
10 go to -- if you look at the last page, the back page
11 of the document, he did a decline curve with some
12 modeling of the odorous emissions from the facility.
13 It's the very back page, I think. Oh, is it not? Oh,
14 you guys have more pages than I do. Sorry. It's 956
15 is the --

16 A. 956?

17 Q. He liked to have multiple --

18 MR. SCHICK: It looks like this
19 (indicating).

20 A. Got it.

21 Q. (By Mr. Nidel) He did a decline curve for
22 the odorous emissions and it shows the odorous
23 emissions going literally off the chart and declining
24 down at about what, 35,000 meters. Is that fair?

1 MR. SCHICK: Objection. Form and scope.

2 A. That appears to be what the -- what the graph
3 shows.

4 Q. (By Mr. Nidel) Do you know how many miles
5 3,500 meters would be, roughly?

6 A. I'm sorry. 3,500 or 35,000?

7 Q. 35,000, you're right.

8 A. I'm converting my 10K mileage.

9 Q. Yeah, that's what I did.

10 A. About 20 miles, maybe a hair more.

11 Q. Okay. If I went through any other specific
12 emissions of metal oxide particulates, you would not
13 be able to tell me what the metal ratio is in those
14 emissions point sources, would you?

15 A. Not without a calculator and the data in
16 front of me.

17 Q. Okay. Not without -- that's not something --
18 that's not something that you used in your delineation
19 of the offsite material. Is that correct?

20 MR. SCHICK: Just the top one.

21 THE WITNESS: Which one? This?

22 MR. SCHICK: Yeah.

23 A. I'm sorry, say that again.

24 Q. (By Mr. Nidel) That was not something that

1 you used or relied on in your delineation of the
2 offsite materials. Correct, the ratios of any of
3 these point sources?

4 A. At this point, no. We're using the ratios of
5 the offsite samples, but to determine the ISDN and AOC
6 areas we didn't use metal ratios.

7 Q. Okay. I handed you Exhibit -- I think it was
8 28. Is that right?

9 A. It is.

10 Q. All right. Exhibit 28 is another modeling
11 exercise prepared by Richard Dunk, October 1981, Bates
12 labeled 769086. Is that right?

13 A. That's correct.

14 Q. Did you review this modeling?

15 A. I don't believe I did.

16 Q. Okay. If you turn to Page 769100?

17 A. 100?

18 Q. Yep.

19 A. Uh-huh.

20 Q. There's a decline -- is that a decline curve?

21 A. In general terms, yes. I mean, it's
22 obviously on a logarithmic scale on the X axis, so
23 yeah, it's a logarithmic decline curve.

24 Q. Okay. And it models the ambient lead

1 concentrations resulting from the existing cupola
2 emissions. Do you see that, the dark line?

3 A. Yes.

4 Q. And it shows the ambient air lead
5 concentration going literally off the chart and coming
6 back onto the chart and dropping down, crossing the
7 ambient air quality standard at about 10K. Right?

8 A. That's what this graph shows, yes.

9 Q. Okay. Did you provide this document to your
10 consultants?

11 MR. SCHICK: Objection. Form.

12 A. I don't know whether this document was
13 provided to consultants or not.

14 Q. (By Mr. Nidel) Who other than you would have
15 provided documents to the consultants that worked for
16 you?

17 A. Generally speaking, it would have been either
18 myself, Mr. Leach in advance of that, perhaps Dr.
19 Buchanan or the various documents that were in the
20 possession of our consultants HydroQual, which were
21 then transitioned over to ELM and Arcadis.

22 Q. Okay. Did you look through any of your -- of
23 the files of those individuals to prepare for your
24 deposition to see what your consultants might have

1 been provided or relying on?

2 A. I did not.

3 Q. I'm going to hand you Exhibit 31. Exhibit 31
4 is a -- I believe it's a letter from Richard Dunk.
5 It's dated November 15th, 1984 Bates labeled 39805.
6 Is that fair?

7 A. That's correct.

8 Q. Okay. And have you reviewed this document
9 before?

10 A. I believe I have seen this document that I
11 saw in preparation for this deposition.

12 Q. Okay. Did USMR provide this to its
13 consultants?

14 MR. SCHICK: Objection. Form.

15 A. I'm not aware of whether this was provided to
16 our consultants or not.

17 Q. (By Mr. Nidel) To the best of your
18 knowledge, it was not provided to them. Is that
19 right?

20 A. To the best of my knowledge, I don't know
21 whether it was or was not provided.

22 Q. Okay. Bottom of the first page, second
23 paragraph there, middle of that but towards the bottom
24 it says, Maximum ambient lead concentrations --

1 A. Hold on, please.

2 Q. Yep.

3 A. They were passing the document around. Okay.

4 Go ahead.

5 Q. Maximum ambient lead concentrations from
6 fugitive emissions and short stack are predicted to
7 occur near the plant perimeter downwind from the
8 center of the plant -- oh, sorry -- (250 to 750 meters
9 downwind from the center of the plant) and maximum
10 impacts from tall stacks (Cupola and Converter) are
11 estimated to occur at 1,500 meters to 2,500
12 meters downwind of these specific point sources. Do
13 you see that?

14 A. I do see that.

15 Q. Okay. So that would be -- well, those tall
16 stacks would be having maximum impacts somewhere
17 between what's that, about one to two miles. Right?

18 A. That's what the -- that's what the document
19 says.

20 Q. And then they would decline from there.
21 Right? That would be the maximum on the decline
22 curve?

23 A. They would be expected to decline from there,
24 but what's -- what's not indicated here is this is --

1 these are ambient air concentrations and they don't
2 translate into soil lead concentration.

3 Q. What model was used by McVehil?

4 A. I don't know off the top of my head. I think
5 it was referenced in one of the -- one of the
6 documents. If I was an air quality modeler I would
7 know that but that's not my field.

8 Q. The modeling that Mr. Dunk did that we just
9 reviewed, it does, however, reflect where your lead
10 was traveling, how far your lead was traveling, albeit
11 in the air for someone to breathe or, you know,
12 eventually depositing in the soil somewhere. Right?

13 A. I believe it was, yeah, that the model
14 attempted to project that.

15 Q. And in fact if it was in the air at 2,500
16 meters downwind, it was going to deposit on the soil
17 some point further downwind than even that point.
18 Right?

19 A. If it was -- if it was indeed present because
20 keep in mind these are just results of modeling. It's
21 not validated by actual ambient data. But if the
22 concentrations were present in that concentration at
23 that distance, then we would expect there to be lower
24 concentrations at a farther distance.

1 Q. Did USMR have any ambient monitors out at the
2 1, the 2 kilometer distance from the smelter?

3 A. I don't believe that there were monitors that
4 far away.

5 Q. I'll hand you Exhibit 38. Exhibit 38 is the
6 Radian lead compliance plan and their modeling. It's
7 Bates labeled 829869. Is that correct?

8 A. 829869. No. This is --

9 Q. You might have another copy of it.

10 A. This is Exhibit 38.

11 Q. Yeah. I mean, there are multiple copies
12 produced. Can you give us the Bates number for the
13 record of that?

14 A. 740283.

15 Q. Okay. But it is the lead compliance plan
16 from Radian. Is that correct?

17 A. That's the title of the document, yes, sir.

18 Q. And if you turn to Page --

19 MR. SCHICK: Excuse me. Just so it's
20 clear it says draft.

21 A. That's correct, draft.

22 Q. (By Mr. Nidel) Did you ever -- did you
23 review that document?

24 A. I don't believe I reviewed this.

1 Q. Okay. Do you know if that document was ever
2 finalized?

3 A. I don't know if it was finalized.

4 Q. Okay. If you turn to Page 5-10?

5 A. (Complying.)

6 Q. The first paragraph there, the last sentence
7 of it, it says, These fluctuations indicate that
8 non-uniform or upset operating conditions at the plant
9 are likely responsible for a significant portion of
10 the lead emissions from the USMR/AMAX plant. Do you
11 see that?

12 A. I do.

13 Q. Okay. Were you aware that non-uniform or
14 upset conditions were responsible for a significant
15 amount of the lead that was emitted from the site?

16 A. In general I'm aware that non-uniform or
17 upset conditions at any plant would lead to
18 potentially larger amounts of emissions than would be
19 expected from a steady state operation.

20 Q. Okay. And if you turn to Page 6-5. There's
21 a table of emissions estimates or what I might call an
22 emissions inventory of various fugitive sources
23 including the baghouse dust piles. Do you see that?

24 A. Are you on 6-5?

1 Q. 6-5, this table, yeah.

2 A. Okay.

3 Q. Do you see the baghouse dust piles?

4 A. I do.

5 Q. Do you see that they're the highest emissions
6 rate of any of those fugitive sources?

7 A. I do see that.

8 Q. Okay. Was that something that you considered
9 in determining your fingerprinting for dioxin?

10 A. I'm not aware that this was part of the
11 fingerprinting exercise.

12 Q. Okay. To your knowledge, it was not.
13 Correct?

14 A. To my knowledge, I don't know whether it was
15 or was not.

16 Q. Okay. Who did the fingerprinting exercise?

17 A. For dioxin?

18 Q. Yes.

19 A. Arcadis.

20 Q. Okay. Did you provide this to Arcadis?

21 A. I don't recall providing this to Arcadis.

22 Q. Okay. Who else would have provided it to
23 Arcadis?

24 A. I don't know who might have.

1 Q. Okay. You're not aware of anyone providing
2 it to Arcadis. Correct?

3 A. No, I'm not.

4 Q. Okay. If you turn to 6-15.

5 A. (Complying.)

6 Q. It says, the first sentence there, The
7 baghouse dust piles are the primary contributor to the
8 maximum off-property lead concentration for each of
9 the five highest receptors. Do you see that?

10 A. I see the language.

11 Q. Okay. Was that considered in determining the
12 fingerprinting of the dioxin source?

13 A. Not to my knowledge. This was referring to
14 lead concentrations, but I don't know whether it was
15 considered as part of any dioxin evaluation.

16 Q. Well, you are aware that there was dioxin in
17 the baghouse dust. Right?

18 A. I don't recall reading anything about dioxin
19 specific to baghouse dust.

20 Q. Did you review the EPA testing that was done
21 onsite?

22 A. I did.

23 Q. Okay. Do you recall them testing the
24 baghouse dust?

1 A. They may have. I just don't recall
2 specifically what they found.

3 (Exhibit No. 64 marked.)

4 Q. I'm going to hand you Exhibit 64 to your
5 deposition. Exhibit 64 is a --

6 MR. SCHICK: Hang on. Let him get it in
7 front of him. Okay.

8 MR. NIDEL: I mean, all I'm going to --
9 I think we all know the drill. I'm just going to read
10 what it is. I think he --

11 MR. SCHICK: Yeah, but he needs to be
12 with you and know what you're reading from.

13 MR. NIDEL: But I'm not going to -- all
14 I'm doing is going to read the Bates number and get
15 him to make a clear record that we're looking at the
16 same document, but fair enough.

17 Q. (By Mr. Nidel) It's a memo January 3rd,
18 1989, Bates labeled 10877. Is that right?

19 A. Yes.

20 Q. Okay. And have you reviewed this document?

21 A. I don't recall seeing this document before.

22 Q. Okay. Is that fair to assume that you did
23 not -- you or USMR did not provide this to your
24 consultants?

1 MR. SCHICK: Objection. Form.

2 A. To the extent a great many HydroQual
3 documents were provided to our consultants and given
4 that this was authored by HydroQual, I would think it
5 is likely that our consultants had access to this
6 document.

7 Q. (By Mr. Nidel) Okay. Let me ask you a
8 different way. Was that part of your analysis as to
9 the fingerprinting of metals ratios?

10 A. I'm not -- I'm not aware whether this was
11 used in the fingerprinting or not.

12 Q. Okay. Let me be even more clear. Was this
13 provided to Geosyntec?

14 MR. SCHICK: Objection. Form.

15 A. I don't know if it was provided to Geosyntec
16 or not.

17 Q. (By Mr. Nidel) You don't know that it was
18 provided to them. Correct?

19 A. I don't know whether it was or was not
20 provided.

21 Q. Okay. I just want to be clear because I
22 understand that Arcadis, for example, or some of the
23 other consultants may have relied on a number of
24 documents which they reference and cite. I've not

1 seen anything as extensive from Geosyntec. So when
2 you said that a number of HydroQual documents were
3 provided to your consultants, my question is: Were
4 you referring to Arcadis, Geosyntec or others or --
5 because I've never seen a report by Geosyntec that
6 cites a number of reports from HydroQual.

7 A. The Geosyntec evaluation of the boundary is
8 still a work in progress and, you know, whether that
9 includes some of the information in any of these
10 reports that you've shown to me, I don't know whether
11 they're included in their report or not because I've
12 not seen the report.

13 Q. Okay. I understand that it's still a work in
14 progress, but I'm asking you if Geosyntec was provided
15 this analysis of various slag piles?

16 A. I don't know whether they were or were not
17 provided with this particular document.

18 Q. Okay. Who other than -- but you know that
19 you did not provide it to them?

20 A. I did not personally provide this document to
21 Geosyntec.

22 Q. Who other than you interfaces with Geosyntec
23 from --

24 MR. SCHICK: Look at the date.

1 Q. (By Mr. Nidel) -- USMR.

2 MR. NIDEL: What's that?

3 MR. SCHICK: Look at the date of the
4 document.

5 MR. NIDEL: Okay. I'm happy to. 1989.

6 MR. SCHICK: Uh-huh.

7 MR. NIDEL: Okay. Apparently I'm
8 missing something.

9 MR. SCHICK: Yeah.

10 A. I'm not aware that this document was provided
11 to Geosyntec for use in its boundary evaluation.

12 Q. (By Mr. Nidel) How can I find out what
13 documents your consultants relied on?

14 A. I believe that the documents that the
15 consultants relied on are described in the various
16 reports that they've generated. I think they
17 generally cite the reference documents at such a time
18 that Geosyntec completes its evaluation of the
19 boundary, the AOC boundary. I'm presuming that they
20 will likewise cite the references that they used in
21 developing their report.

22 (Exhibit No. 65 marked.)

23 Q. I'm going to hand you Exhibit 65. Exhibit 65
24 is a draft supplemental remedial investigation work

1 plan, Bates labeled 9390. Is that correct?

2 A. Yes.

3 Q. Okay. And I just want to take you to Page
4 9398.

5 A. 98? Uh-huh, yes.

6 Q. What was in the north portion of the
7 facility?

8 A. What was in the north portion of the
9 facility?

10 Q. Yeah, what type of operations were going on
11 up north on the property?

12 A. I don't believe USMR conducted any activities
13 in the north area beyond warehousing.

14 Q. Okay. So there was warehouse and otherwise
15 there were no heavy industrial operations there.
16 Correct?

17 A. I'm not aware that USMR had any activities in
18 that area beyond warehousing.

19 Q. Okay. How did you pick your location for
20 your dioxin sampling?

21 A. How did we pick the locations?

22 Q. Yeah.

23 A. Well, we identified -- well, I mean, if
24 you -- if you understand a large part of the facility

1 had been redeveloped into warehouses by the time we
2 did the additional dioxin testing in 2015 or
3 whenever -- whenever that was. So we identified some
4 locations that were immediately adjacent to the
5 facility boundary that had not been essentially
6 disturbed by site redevelopment activities. That's
7 why if you look at the configuration of those sample
8 locations they're all kind of immediately along the
9 property boundary on the west side of Warehouse --
10 what is now Warehouse 2 and the north side of
11 Warehouse 1.

12 Q. Okay. There has never been industrial
13 operations in that north quadrant. Right?

14 A. (No response.)

15 Q. Because we can go through a lot of documents
16 but you testified to it a second ago. There have not
17 been industrial operations up there. Right?

18 A. I testified that there were no operations
19 performed by USMR in that area.

20 Q. Okay.

21 A. It was an area that there was historic
22 operations prior to USMR purchasing the facility, but
23 there were no industrial operations that I'm aware of
24 that USMR conducted in the north warehouse area.

1 Q. Okay. And for a hundred years there haven't
2 been industrial operations up there. Right?

3 A. That wasn't what I testified to. I said that
4 I'm not aware of any industrial activities that were
5 performed by USMR in that area. There were other
6 property owners at the time that had industrial
7 activities in that particular area prior to USMR
8 acquiring that portion of the property.

9 Q. Okay. Was the purpose of the dioxin sampling
10 to see if there was dioxin that looked like the stack
11 dioxin?

12 A. The purpose of the dioxin sampling was to
13 delineate dioxin consistent with the New Jersey tech
14 reg requirements.

15 Q. Okay. And based on a concern that what was
16 coming out the stack might be polluting other areas.
17 Correct?

18 MR. SCHICK: Objection. Form.

19 A. It was based on an evaluation of existing
20 data by the LSRP who felt that dioxin delineation had
21 not been adequately completed onsite.

22 Q. (By Mr. Nidel) Okay. Who chose the location
23 of the north warehouse No. 2?

24 A. The location was proposed by Arcadis and

1 approved by the LSRP.

2 MR. SCHICK: Excuse me. I thought he
3 said west of Warehouse 2, north of 1.

4 A. I did, yes. It's west of Warehouse 2, north
5 of Warehouse 1.

6 Q. (By Mr. Nidel) Okay. And Arcadis had the
7 EPA's assessment of dioxins onsite. Correct?

8 A. As far as I know, yes.

9 Q. Okay. And the area -- the warehouse area --
10 west warehouse area was actually the lowest, the
11 absolute lowest dioxins sampled anywhere on that
12 entire property. Correct?

13 A. Which sampling event are you referring to?

14 Q. And the EPA broke it into seven or ten
15 quadrants and they took composite samples. Do you
16 remember that?

17 A. If I can visualize the map.

18 Q. Okay. Why did you choose the lowest -- to
19 sample on the perimeter of the lowest dioxin sample
20 that you could find to see if there was maybe dioxin?

21 MR. SCHICK: Objection. Form.

22 A. The balance of the site had been redeveloped
23 and that was the most appropriate undisturbed location
24 where the consultants felt that given the location

1 there and the proximity to the residential areas where
2 samples could be obtained that were representative and
3 the LSRP agreed and approved of those locations.

4 Q. (By Mr. Nidel) Okay. Was LSRP given the
5 EPA's dioxin sampling map?

6 A. I don't know whether the LSRP was provided
7 with that or not.

8 Q. Okay. Did you give it to them?

9 A. I did not give it to them.

10 Q. Okay. Who else would have?

11 A. Perhaps Arcadis during the review of where
12 the sample locations were made. I don't -- I don't
13 know.

14 Q. Okay. No one that you know of gave it to
15 them. Correct?

16 A. That's correct.

17 Q. Okay. And it actually was redeveloped there
18 because you drilled down and ran into some cloth.
19 Right?

20 A. It was part of the site that the NJDEP
21 required we perform redevelopment which in the case of
22 the onsite was site redevelopment in warehousing.

23 Q. Okay. So it was redeveloped. Right?

24 MR. SCHICK: Objection. Form.

1 Q. (By Mr. Nidel) That area had been
2 redeveloped and you had to go down 3 to 3 1/2 feet to
3 get a sample that you thought was undisturbed.

4 Correct?

5 A. I don't recall the exact issues with
6 sampling, but in the event that it was determined that
7 there was -- this was in an area that had been
8 redeveloped, there was step-outs done to go to areas
9 that had not been redeveloped yet. As I recall, there
10 was -- there was a couple of step-outs as part of this
11 delineation exercise.

12 Q. How deep were the samples taken from?

13 A. I don't recall the exact depth.

14 Q. Do you recall, though, that they had to go
15 deep because there was issues with development there.
16 Correct?

17 A. The very first samples, that's correct, but
18 as we stepped farther away from their redeveloped
19 area, we were able to successfully get representative
20 samples.

21 Q. I thought you went to the north warehouse
22 area because it hadn't been redeveloped. That was
23 your testimony. Right?

24 A. We went to an area adjacent to the north

1 warehouse area which had been redeveloped. I don't
2 think I testified that the north area was not
3 redeveloped. The north warehouse area was redeveloped
4 as part of our remedial action on the onsite. We were
5 attempting to find areas very close to the property
6 line between the redeveloped area and the property
7 line that did not show evidence of redevelopment where
8 we could successfully get samples that were
9 representative of site conditions as opposed to
10 representative of redeveloped site conditions.

11 MR. SCHICK: Why don't we take a break?

12 MR. NIDEL: Sure.

13 THE VIDEOGRAPHER: We are off the
14 record. It is 5:28. This is the end of Tape 6.

15 (Break.)

16 THE VIDEOGRAPHER: Okay. We are back on
17 the record. It is 5:40 and this is the beginning of
18 Tape 7.

19 (Exhibit No. 66 marked.)

20 Q. (By Mr. Nidel) All right. I handed you
21 Exhibit 66. Exhibit 66 is a -- starts with a cover
22 e-mail. It's Bates labeled 835988 from Michael Leach
23 dated 3/22/2013. Is that right?

24 A. Yes.

1 Q. Okay. And this is a -- contains Revision 3
2 of Shaw Environmental's soil sampling and analysis
3 plan. Is that right?

4 A. That's correct.

5 Q. I assume that you've reviewed that document?

6 A. I've -- I've seen this document and I've
7 reviewed it.

8 Q. When was the -- when was the conceptual site
9 model first sort of established in these documents? I
10 mean, I see the same language throughout and I'm just
11 wondering sort of what the first -- when it was born?

12 A. I'm not sure exactly when the conceptual site
13 model was first established, but it was fairly early
14 on after the DEP requested that we start looking
15 offsite for potential site-related impacts.

16 Q. Okay. If you turn to let's just say 2-1 of
17 the document?

18 A. Yes.

19 Q. The bottom paragraph there, the first
20 sentence, it says, Based upon factors provided in
21 Section 3.0, copper and zinc concentrations in offsite
22 soils are considered to be the most reliable
23 indicators of metals concentrations that may be
24 associated with the former USMR operations. Do you

1 see that?

2 A. I see where it says that, yes.

3 Q. Okay. So I had asked you about I think that
4 statement earlier. Would you agree that copper and
5 zinc are -- were at that time considered to be most
6 reliable indicators of metals concentrations?

7 A. At the time of this document, yeah, I'd say
8 that's a correct statement.

9 Q. Okay. What metals -- metal or metals are the
10 best indicators of associations of emissions from the
11 former facility?

12 A. Say that again, please.

13 Q. Yeah. What metal or metals are the best
14 indicators of impacts from USMR operations?

15 MR. SCHICK: Objection. Asked and
16 answered.

17 A. I believe according to this document at the
18 time this was developed it was speculated that copper
19 and zinc would be the most reliable indicators.

20 Q. (By Mr. Nidel) It was just speculated then?
21 This was submitted probably to the State. Right?

22 A. Well, it was -- it was done in, really, the
23 absence of any specific offsite sampling data. It was
24 based on the information that had been gathered as

1 part of the onsite remedial investigation.

2 Q. Okay. So what in USMR's view are the best
3 indicator metals of impacts from its operations?

4 A. I think it's USMR's opinion that the best
5 indicator of emissions from a copper smelter is
6 copper.

7 Q. Do you see the footnote there?

8 A. I do.

9 Q. One study found that over 19 percent of yard
10 areas has arsenic soil concentrations that would
11 exceed the SRS of 19. Do you see that?

12 A. I do.

13 Q. What is SRS?

14 A. Soil remediation standard.

15 Q. Okay. Is that the same thing we've been
16 talking about all day?

17 A. Yes.

18 Q. 400 for lead and 19 for arsenic?

19 A. Yes.

20 Q. Okay. Do you know why they don't say SRS of
21 19 exceeding 95 percent upper confidence limit of
22 log-normal distributions or something else like that?

23 A. I don't know why this EPA or Schmitt
24 reference states those numbers. You'd have to go back

1 to those documents and read them.

2 Q. Have you read those documents?

3 A. I don't believe I have.

4 Q. Okay. Do you know anything else that they
5 say?

6 A. No, I haven't read the documents.

7 Q. Do you know why those documents were cited
8 there?

9 A. I'm just -- I'm assuming that it was an
10 attempt to indicate that there are other sources of
11 arsenic and lead in urban yard areas that contribute
12 to soil exceedances due to non -- non-smelter or
13 nonindustrial sources.

14 (Exhibit No. 67 marked.)

15 Q. I hand you Exhibit 67. Exhibit 67 is a
16 document I believe was provided from your files, but
17 it's a remedial investigation and action work plan
18 Phase 1 offsite area of concern, July 2015, Bates
19 labeled 832350. Is that something that you reviewed?

20 A. Yes.

21 Q. Okay. Is that something that you provided
22 edits and comments on?

23 A. I believe I probably reviewed and likely
24 provided some edits and comments to this document.

1 Q. There is on Page -- well, it's going to be on
2 Page 4, you know, number Page 4?

3 A. Uh-huh.

4 Q. And it says, a statement that might be
5 familiar to you, Although air deposition may initially
6 deposit these metals -- do you see that?

7 A. Yes.

8 Q. Okay. I'm trying to spare the court reporter
9 here, but if you read that statement, that's a
10 statement that I quoted to you earlier and asked you
11 if you agreed with and I think you gave me some
12 qualifications. So if you could read that statement
13 on Page 4 and tell me if you agree with it.

14 A. Sure.

15 Q. And I'm going to ask you just to read it to
16 yourself just to save her, but you're reading the
17 paragraph that starts "Although air" and ends with
18 "concentrations." Okay?

19 A. (Complying.)

20 Q. Do you agree with that?

21 A. Yes, I agree with that.

22 Q. If you turn to Page 7?

23 A. (Complying.)

24 Q. Another issue that I brought up, although not

1 I think word for word, but No. 2 says, Extrapolation
2 of the ISDA data provides a line of evidence that
3 offsite soils exceed the New Jersey DEP RDCSRs, which
4 are potentially associated with the historical smelter
5 operations, would generally be expected to occur in
6 close proximity to the former onsite area boundaries
7 (i.e., within Zone 1). Do you see that?

8 A. I do.

9 Q. Okay. What is the extrapolation of the ISDA
10 data? What does that mean?

11 A. I think it is the use of the continued
12 decrease in constituent concentrations in the ISDA
13 from Zone 1 to Zone 2 to Zone 3, which shows a
14 continuing decrease in those concentrations.

15 Q. Okay. So is that -- I know there's an
16 analysis and we may or may not get to it today, but
17 you went from Zone 1 and then you said there's, like,
18 a 50 percent drop in the average, and then you went to
19 Zone 2 and you said there's another 50 or for some
20 metals 75 or whatever, but there was a step-wise
21 decrease from Zone 1 to 2 to 3. Is that what you're
22 talking about?

23 A. Yeah, I don't recall if it was 50 percent
24 for -- between each zone, but, you know, there was

1 a -- there was a decrease between -- you know, from
2 Zone 1 to Zone 2 to Zone 3.

3 Q. Okay. And is the extrapolation, then, sort
4 of an extension or a prediction of what would be
5 happening beyond, say, Roosevelt Avenue based on that
6 pattern that you saw from Zone 1 to Zone 2 to Zone 3
7 and then offsite?

8 A. I assume that you could use that line of
9 evidence to show that anything beyond Zone 3 was
10 similarly lower than what was measured in the Zone 3
11 of the ISDA.

12 Q. Okay. But what you found out when you tested
13 those transects was not -- that that was not -- that
14 didn't carry forward. Right?

15 A. The samples within the zero to 6 and 6 to
16 12-inch intervals within the transect areas do not
17 generally continue that trend, but the determination
18 of whether those metals concentrations in the
19 transects are attributable to site operations is still
20 something that's subject to an ongoing analysis.

21 Q. Okay. If you look at the Page 8, the top on
22 the copper, it says, The copper soil concentration, do
23 you see that, B?

24 A. Yes.

1 Q. Can you read B to yourself, please.

2 A. (Complying.) I need to read the whole thing.
3 I can't read just B by itself.

4 Q. Are you good on B?

5 A. Yeah, I read what it says.

6 Q. Okay. So you were using zinc there to
7 determine whether the copper concentration that was
8 high would be site related. Right?

9 A. It was the absence of zinc to show that it
10 was unlikely that the copper within the Zone 3 sample
11 was associated with former smelter operations.

12 Q. Okay. And I'm assuming there was maybe some
13 zinc there, but just the absence of an elevated level
14 of zinc, but I don't know. Do you know?

15 A. I'm assuming that there was some level of
16 zinc there.

17 Q. Okay.

18 A. I don't think we had any nondetects for those
19 metals.

20 Q. Okay. So you would agree with me that you
21 were using zinc to help you fingerprint even the
22 copper as to whether it was site related. Right?

23 MR. SCHICK: Objection. Form.

24 A. For this particular interval in this

1 particular zone we used the absence of zinc to help us
2 with our conclusion.

3 Q. (By Mr. Nidel) Okay. And why do you not
4 continue sampling for zinc?

5 A. The decision to not continue sampling of zinc
6 was based on the fact that we weren't observing
7 zinc -- excuse me -- in the ISDA area in excess of
8 residential cleanup standards.

9 Q. Even though it could be useful for
10 fingerprinting or source tracking the metals that you
11 did find as was used in this example. Right?

12 MR. SCHICK: Objection. Form.

13 A. I believe that we felt that the use of
14 copper, lead and arsenic was adequate to determine
15 impacts, if any, from the smelter.

16 Q. (By Mr. Nidel) I'm going to show you just
17 this handwriting. Is that your handwriting
18 (indicating)?

19 A. Sorry. No.

20 Q. Do you know whose handwriting that is?

21 A. No.

22 Q. Why wasn't dioxin selected as a target
23 analyte?

24 A. Based on the speciation work that was -- that

1 was done, the delineation of dioxin was complete to
2 the satisfaction of the LSRP and as a result there was
3 no further need to continue to carry dioxin as a
4 target analyte for the offsite work.

5 (Exhibit No. 68 marked.)

6 Q. I've handed you Exhibit 68 to your
7 deposition. It's April 2016 sampling and analysis
8 plan data report?

9 A. Uh-huh.

10 Q. Bates labeled 802403 from Arcadis. Are you
11 familiar with that document?

12 A. I am.

13 Q. Okay. If you turn to Pages 5 and 6 --
14 sorry -- 6 and 7 -- wait. Huh, that's weird. Oh,
15 mine? I don't know if anyone else's copy is like
16 this, but for some odd reason mine goes 5, 7 and then
17 6, but if you turn to Page 5?

18 A. 5. Okay.

19 Q. Sort of there's the -- some of the language
20 about the conceptual site model that you typically
21 have. Correct?

22 A. Umm.

23 Q. Although air deposition, same language that
24 we read before?

1 A. Yeah, that's similar language.

2 Q. Air concentrations of metals are assumed to
3 decrease, all that language. Right?

4 A. Yes.

5 Q. So one question just practically: This is
6 Arcadis. We haven't looked at, I don't think,
7 anything written by Arcadis yet but we've seen this
8 same language. So is it just common for your
9 consultants to just lift language from one report from
10 a previous consultant to another? Is that --

11 MR. SCHICK: Objection. Form.

12 A. I'm not sure what you mean by lift language.

13 Q. (By Mr. Nidel) Copy and paste or I don't
14 know if they type it up and look at it, but there's --
15 the same language is throughout all your documents
16 whether it was written by Arcadis or ELM or Shaw.

17 A. Yeah. I'm not sure what -- what you're
18 questioning.

19 Q. I'm really not questioning anything. I'm
20 just asking you if that was typical, that one
21 consultant would take and adopt the work of another
22 consultant. That's it.

23 A. I mean, to the extent this is a continuing
24 project, I believe, you know, the work on one

1 component builds on those which were prepared
2 previously. So to the extent that Arcadis in the
3 preparation of this document agreed with the approach
4 from previous documents and previous versions, they
5 incorporated that into this document.

6 Q. I wasn't -- I may be implying things other
7 ways, but I was just asking the question.

8 Page 7, I guess the Sampling and Analysis
9 Approach and Rationale, I guess my question is when
10 did you first -- I know I asked you when you first
11 came up with Zone 1, 2 and 3. By this point in time,
12 the data analysis report here, you had the data.
13 Right?

14 A. We had the data from the ISDA. This is
15 really a summary of that sampling effort.

16 Q. This is -- this is the money document.
17 Right? This is the one that goes through and says you
18 dropped from Zone 1 to Zone 2 to Zone 3. Right?

19 MR. SCHICK: Objection. Form.

20 MR. NIDEL: You didn't like my money
21 document?

22 MR. SCHICK: Yeah.

23 Q. (By Mr. Nidel) This is where your conclusion
24 is drawn from, the analysis in this document. Is that

1 right, that the boundaries were sufficient?

2 A. It's the document wherein the information
3 that has been collected through the ISDA is reported
4 and the conclusions of the ISDA in support of the
5 conceptual site model and the extent of the AOC are
6 put down in writing and presented to the LSRP in final
7 form.

8 Q. Okay. That's probably a better way to
9 characterize it. If you turn to Page 13?

10 A. (Complying.)

11 Q. It talks about the dioxin sampling that they
12 relied on in their fingerprinting assessment. The
13 only sampling it talks about was the stack sample
14 taken by EPA and then it talks about the onsite
15 sampling that we already talked about in the warehouse
16 area. Right?

17 A. Yeah, that's what the two bullet points say.

18 Q. Okay. There's no discussion of the baghouse
19 dioxin, there's no discussion of the congeners
20 across the property either, the perimeter sampling by
21 EPA or the composite interior sampling by EPA.
22 Correct?

23 MR. SCHICK: Objection. Form. I'm
24 hesitant to allow the witness to answer that question

1 because you're pointing him to one specific page --
2 one specific section in a page of a document that's I
3 don't know how long. If -- if he knows the answer I'm
4 happy for Mr. Brunner to answer it, but I don't want
5 to handicap him by your suggesting that because this
6 sample discusses X there's no other reference of it in
7 the document.

8 Q. (By Mr. Nidel) Yeah. And to that point, I
9 guess what I would like is just an answer to the
10 question, then, what did Arcadis use -- what samples
11 did Arcadis use to do its assessment of whether the
12 boundary samples that you took more recently were
13 associated with emissions from the operations at the
14 site?

15 MR. SCHICK: Objection. Form.

16 A. What -- what samples?

17 Q. (By Mr. Nidel) Yeah. What -- what dioxin
18 data did they rely on for their fingerprint
19 assessment?

20 MR. SCHICK: Same objection.

21 A. They -- I believe the dioxin sampling is
22 described here starting in Section 6, goes into the
23 vertical and horizontal delineation activities that
24 were done, the results of the data and I believe the

1 congener analysis is described later in the document,
2 I believe specifically in Section 8.

3 Q. (By Mr. Nidel) Okay. So what data did U.S.
4 Metals rely on to determine that the offsite dioxin
5 was not from them?

6 A. Based on this evaluation, they used the
7 data from the EPA study and the Radian study and
8 compared that congener analysis to what was obtained
9 from the soil samples on the close perimeter of the
10 site.

11 Q. Okay. And I just want to be clear, the EPA
12 study, you mean the EPA stack testing that was done.
13 Correct?

14 A. I believe that was the stack testing.

15 Q. Okay. There was no -- it was not soil
16 testing, it was not baghouse dust testing, it was the
17 stack testing both by Radian and by EPA. Correct?

18 A. That's my understanding, yes.

19 Q. Okay. If we turn to Page 36. Okay. Is this
20 the analysis that you're relying on to say that Zone 1
21 through Zone 3 showed a dramatic decrease in metals
22 concentrations?

23 MR. SCHICK: Objection. Form. But go
24 ahead.

1 A. Yes, it is.

2 Q. (By Mr. Nidel) Is this what you're relying
3 on to show that there was a trend in decreasing metals
4 concentrations that could be extrapolated to show that
5 your boundary was sufficient?

6 MR. SCHICK: Same objection.

7 A. Yes.

8 Q. (By Mr. Nidel) Okay. The only reason I
9 repeated it was because I think the objection was to
10 my sharp or dramatic decrease. I got rid of that but
11 I still got an objection. You can't say I didn't try.

12 So if we turn to Page 39, the last bullet
13 point on dioxins and furans there, it says, The
14 chemical signatures of air stack samples are
15 significantly different. Right?

16 A. Yes, that's what it says.

17 Q. And it talks about what congeners are
18 dominant versus not. It says octa is dominant in the
19 offsite soil samples and it's the dominant congener in
20 numerous combustion sources including emissions from
21 incinerators, boilers and motorized vehicles. Do you
22 see that?

23 A. Yeah, I see where it says that.

24 Q. Okay. We've talked about a number of other

1 dioxin sources that were actually operated by U.S.
2 Metals today. Right?

3 A. I believe we've talked about other potential
4 emission sources.

5 Q. Okay. And U.S. Metals operated incinerators
6 on their site. Right? They had an incinerator.
7 Right?

8 A. I'm not aware that they operated an
9 incinerator. It might have been something Mr. Fenn
10 was more appropriate to discuss.

11 Q. Well, if they did, that would kind of be
12 important for this analysis because apparently
13 incinerators could be a source of octa-chlorinated
14 dibenzodioxin. Correct?

15 A. This sentence suggests that an incinerator is
16 a potential source of octa-dioxin.

17 Q. Okay. And they had boilers onsite, too.
18 Right?

19 A. I'm not aware if they had boilers onsite or
20 not.

21 Q. If they did, that would be relevant to
22 whether the operations at the site could be related to
23 those. Correct?

24 A. I mean, again, the sentence indicates that

1 boilers are a potential source of octa-dioxin.

2 Q. Okay. If we could turn to 802488.

3 A. 488?

4 Q. 802488 is the -- some of the zonal analysis.

5 Right, Figure 3-1?

6 A. Yes.

7 Q. Okay. And it shows the samples from the ISDA
8 that are in Zone 1, Zone 2 and Zone 3. Correct?

9 A. That's correct.

10 Q. Okay. What -- can you outline on Figure 3-1
11 in this pink marker the areas that we discussed
12 earlier that were Chrome Park that were redeveloped,
13 as well as the areas in the northeastern portion of
14 the site that were redeveloped?

15 A. Do you mind if I dig through the exhibits to
16 make sure?

17 Q. I don't mind.

18 MR. SCHICK: I don't think you've got
19 the northeast quadrant on this map.

20 MR. NIDEL: What do you need? Is there
21 something I can get him?

22 MR. SCHICK: No, I don't think he had a
23 copy of those. It's all right.

24 MR. NIDEL: Yeah, yeah, yeah, I think

1 you do from -- well, we do from -- yeah. Sorry.

2 MR. SCHICK: It's okay.

3 A. Okay.

4 Q. (By Mr. Nidel) Okay. You got both of them?
5 Could you maybe fill them in just with hashmarks? You
6 don't need to color them in.

7 A. (Complying.)

8 Q. So on Figure 3-1 --

9 MR. NIDEL: Do we want to have him hold
10 it up?

11 THE WITNESS: (Complying.)

12 MR. NIDEL: Can we go ahead and maybe
13 get a video of that?

14 THE WITNESS: (Complying.)

15 Q. (By Mr. Nidel) Do you know how many samples
16 were in Zone 1 versus Zone 2 versus Zone 3?

17 A. The precise number?

18 Q. Yeah.

19 A. No. I can count the dots if you'd like,
20 but. . .

21 Q. I just didn't know if you knew. The target
22 was to get 20. Is that correct? 20 per zone?

23 A. It appears that there's more than 20 in Zone
24 1.

1 Q. Are there 60 total, do you know?

2 A. I think there's in excess of 60 because I
3 believe there's more than 20 in both Zone 1 -- Zones 1
4 and 2. I haven't counted the number that's in Zone 3.

5 Q. What was the assessment that was done on --
6 well, were all those samples -- what depth were those
7 samples taken?

8 A. I believe they were taken at 6-inch intervals
9 down to 24 inches.

10 Q. And then how were -- how were they -- how
11 were those central tendencies -- so how were they
12 averaged so they -- were they averaged across the
13 departments or were they averaged just for each
14 department and then compared?

15 A. I'm not sure exactly how they were reviewed.

16 Q. If you go back to the text of that same
17 exhibit that you're on?

18 A. Uh-huh.

19 Q. I think -- there's the discussion of the
20 central tendencies. I'm trying to -- are you able to
21 find that?

22 A. I'm still looking for it. I know it's in
23 here somewhere.

24 Q. I thought it was in there too.

1 A. Yeah. If you generally look at Page 36 it
2 discusses the central tendencies by zone.

3 Q. Okay. Does that help you answer my question
4 as to whether it was -- those averages were taken
5 across all departments or what?

6 A. It looks like they were taken across all
7 departments by constituent by zone.

8 Q. Okay. And then they were compared from zone
9 to zone and then that's where you drew your
10 extrapolation pattern from or your --

11 A. That's -- that's correct.

12 Q. Was there a remedial investigation action
13 work plan -- did that become a remedial action work
14 plan?

15 A. Yes.

16 Q. Okay. And what was the distinction there or
17 I'm just trying to understand --

18 A. We -- we pulled the investigation -- the
19 remedial investigation part out and submitted that as
20 a separate document that would be really the data
21 report that we were just talking about for the last
22 ten minutes.

23 MR. NIDEL: Let's go off the record.

24 THE VIDEOGRAPHER: We are off the

1 record. It is 6:14 p.m.

2 (Deposition concluded at 6:14 p.m.)

3 (Signature reserved.)

4 * * * * *

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 CHANGES AND SIGNATURE

2 WITNESS NAME: JOSEPH A. BRUNNER

3 DATE OF DEPOSITION: JUNE 6, 2018

4 PAGE/LINE	CHANGE	REASON
-------------	--------	--------

5		
---	--	--

6		
---	--	--

7		
---	--	--

8		
---	--	--

9		
---	--	--

10		
----	--	--

11		
----	--	--

12		
----	--	--

13		
----	--	--

14		
----	--	--

15		
----	--	--

16		
----	--	--

17		
----	--	--

18		
----	--	--

19		
----	--	--

20		
----	--	--

21		
----	--	--

22		
----	--	--

23		
----	--	--

24		
----	--	--

1 I, JOSEPH A. BRUNNER, have read the foregoing
deposition and hereby affix my signature that same is
2 true and correct, except as noted above.

3
4
5

JOSEPH A. BRUNNER

6
7

THE STATE OF _____)
8 COUNTY OF _____)

9 Before me, _____, on this
day personally appeared JOSEPH A. BRUNNER, known to me
10 (or proved to me under oath or through
_____) (description of identity
11 card or other document) to be the person whose name is
subscribed to the foregoing instrument and
12 acknowledged to me that they executed the same for the
purposes and consideration therein expressed.

13 Given under my hand and seal of office this
_____ day of _____,
14 _____.

15
16
17

NOTARY PUBLIC IN AND FOR
18 THE STATE OF _____
COMMISSION EXPIRES: _____

19
20
21
22
23
24

Joseph A. Brunner

1 THE STATE OF TEXAS:
COUNTY OF FT. BEND:

2

I, Tamara Vinson, a Certified Shorthand
3 Reporter and Notary Public in and for the State of
Texas, do hereby certify that the facts as stated by
4 me in the caption hereto are true; that the above and
foregoing answers of the witness, JOSEPH A. BRUNNER,
5 to the interrogatories as indicated were made before
me by the said witness after being first duly sworn to
6 testify the truth, and same were reduced to
typewriting under my direction; that the above and
7 foregoing deposition as set forth in typewriting is a
full, true, and correct transcript of the proceedings
8 had at the time of taking of said deposition.

9 I further certify that I am not, in any
capacity, a regular employee of the party in whose
10 behalf this deposition is taken, nor in the regular
employ of his attorney; and I certify that I am not
11 interested in the cause, nor of kin or counsel to
either of the parties.

12

GIVEN UNDER MY HAND AND SEAL OF OFFICE, on
13 this, the 21st day of June, 2018.

14

15

16

17

Tamara Vinson, Texas CSR No. 3015
Expiration Date: 12-31-2018

18

19
20 GOLKOW TECHNOLOGIES, INC.
Texas CRCB Registration #690
21 440 Louisiana, Suite 910
Houston, Texas 77002
22 www.golkow.com

23

24